

UNITED STATES OF AMERICA
NATIONAL TRANSPORTATION SAFETY BOARD

* * * * * * * * * * * * * * * * *
In the matter of: *
*
REACHING ZERO: ACTIONS TO ELIMINATE *
SUBSTANCE-IMPAIRED DRIVING *
*
* * * * * * * * * * * * * * * * *

Board Room and Conference Center
National Transportation Safety Board
429 L'Enfant Plaza East, S.W.
Washington, D.C. 20694

Wednesday,
May 16, 2012

The above-entitled matter came on for hearing, pursuant
to Notice, at 9:00 a.m.

BEFORE: BOARD OF INQUIRY
National Transportation Safety Board

APPEARANCES:

NTSB Board of Inquiry

DEBORAH A.P. HERSMAN, Chairman
CHRISTOPHER A. HART, Vice Chairman
ROBERT L. SUMWALT, Member
MARK R. ROSEKIND, Ph.D., Member
EARL F. WEEENER, Ph.D., Member

NTSB Technical Panel

STEVE BLACKISTONE, J.D., State and Local Government Affairs Liaison
STEPHANIE DAVIS, Transportation Safety Advocate, Safety Advocate Division, Office of Communications
DANIEL FILIATRAULT, Project Manager, Office of Highway Safety
JANA PRICE, Ph.D., Senior Human Performance Investigator, Office of Highway Safety
DANIELLE ROEBER, J.D., Chief, Safety Advocacy Division, Office of Communications
NICHOLAS WORRELL, Transportation Safety Advocate, Safety Advocacy Division, Office of Communications

Panel 6: Prevention

ROBERT SALTZ, Ph.D., Senior Research Scientist, Prevention Research Center
FREDERICK MAHONY, Chief, Massachusetts Alcoholic Beverages Control Commission, National Liquor Law Enforcement Association
ROBERT E. TAYLOR, M.D., Ph.D., FACP, Professor of Pharmacology, Medicine and Psychiatry, Howard University
ABDULLATIF (BUD) ZAOUK, D.Sc., Director, Transportation Solutions, QinetiQ North America

Panel 7: International Perspective

MIRCEA STERIU, Communications Officer, European Transport Safety Council
BARRY WATSON, Ph.D., Director, Centre for Accident Research and Road Safety - Queensland
STEVE MARTIN, Superintendent of Motor Vehicles, British Columbia Ministry of Justice

Free State Reporting, Inc.
(410) 974-0947

APPEARANCES (Cont.)

Panel 8: Actions Needed to Reach Zero

R. GIL KERLIKOWSKE, Director, Office of National Drug Control Policy
RALPH S. BLACKMAN, President and CEO, The Century Council
JOHN D. BODNOVICH, Executive Director, American Beverage Licensees
TROY E. COSTALES, Chairman, Governors Highway Safety Association
J.T. GRIFFIN, Senior Vice President of Public Policy, Mothers Against Drunk Driving
JACQUELINE HACKETT, Deputy Director for Policy-Intergovernmental and Public Liaison, National Drug Control Policy
JENNA McMAHON, National Organizations for Youth Safety
JEFFREY P. MICHAEL, Ed.D., Associate Administrator, Research and Program Development, National Highway Traffic Safety Administration
ARLENE J. MULDER, Mayor, Arlington Heights, Illinois Vice Chair, Conference of Mayors' Criminal and Social Justice Standing Committee
JACOB NELSON, MPH, MPP, Director of Traffic Safety Advocacy and Research, American Automobile Assn.
MARY JANE SAUNDERS, J.D., General Counsel, Beer Institute
STEPHEN K. TALPINS, J.D., Vice President, Institute For Behavior and Health
ROBERT VOAS, Ph.D., Senior Research Scientist, Pacific Institute for Research and Evaluation
JAN PAULS, Representative, Kansas House of Representatives

I N D E X

<u>ITEM</u>	<u>PAGE</u>
Welcome by Chairman Hersman	270
SESSION 3: FURTHER INTERVENTION OPPORTUNITIES	
PANEL 6: PREVENTION	
Introduction and Presentations:	
Robert Saltz, Ph.D.	270
Chief Frederick Mahony	277
Robert Taylor, M.D., Ph.D.	284
Abdullatif (Bud) Zaouk, D.Sci.	289
Questions Posed by Technical Panel:	
By Mr. Filiatrault	296
Questions Posed by Board of Inquiry:	
By Member Weener	299
By Member Sumwalt	303
By Member Rosekind	306
By Vice Chairman Hart	310
By Chairman Hersman	314
PANEL 7: INTERNATIONAL PERSPECTIVE	
Introduction and Presentations:	
Mircea Steriu	319
Barry Watson, Ph.D.	329
Steve Martin	342

I N D E X

<u>ITEM</u>	<u>PAGE</u>
Questions Posed by Technical Panel:	
By Ms. Roeber	350
By Mr. Filiatralt	352
Questions Posed by Board of Inquiry:	
By Member Weener	353
By Member Sumwalt	357
By Member Rosekind	360
By Vice Chairman Hart	363
SESSION 4: NEXT STEPS	
PANEL 8: ACTIONS NEEDED TO REACH ZERO	
Introduction by Chairman Hersman	369
Opening Presentation:	
Gil Kerlikowske, Director National Drug Control Policy	370
Questions Posed by Board of Inquiry:	
By Member Rosekind	377
By Member Sumwalt	380
By Member Weener	381
By Vice Chairman Hart	383
By Chairman Hersman	384
Additional Presentations:	
Ralph Blackman	388

John D. Bodnovich	391
Trey E. Costales	396

I N D E X

<u>ITEM</u>	<u>PAGE</u>
Additional Presentations (Cont.):	
J.T. Griffin	399
Jenna McMahon	403
Jeffrey Michael, Ed.D.	405
Mayor Arlene J. Mulder	407
Jacob Nelson	410
Rep. Jan Pauls	413
Mary Jane Saunders, J.D.	417
Stephen Talpins, J.D.	420
Robert Voas, Ph.D.	426
Questions Posed by Technical Panel:	
By Mr. Blackistone	429
By Ms. Davis	431
By Ms. Roeber	435
By Mr. Blackistone	436
By Ms. Davis	437
By Ms. Roeber	439
By Mr. Blackistone	443
Questions Posed by Board of Inquiry:	
By Member Rosekind	443
By Member Weener	451
By Vice Chairman Hart	454

By Chairman Hersman

458

I N D E X

<u>ITEM</u>	<u>PAGE</u>
Questions posed by Board of Inquiry (Cont.):	
By Member Sumwalt	462
By Member Rosekind	466
By Vice Chairman Hart	471
By Chairman Hersman	478
By Board of Inquiry	
By Ms. Davis	485
By Ms. Roeber	486
Closing Remarks by Chairman Hersman	488
Adjourn	

1

P R O C E E D I N G S

2

(9:00 a.m.)

3 CHAIRMAN HERSMAN: Welcome back for the second day of
4 our Substance-Impaired Driving Forum. Yesterday was a very long
5 day for us, but I think it was a great day and everyone that I've
6 talked to this morning has commented on what wonderful presenters
7 and panelists that we had. And so, I know we're going to be
8 hearing from a number of presenters today as well and so we look
9 forward to another full day of presentations and discussion.

10

At this point I'm going to turn it over to
11 Mr. Filiatrault who is going to introduce our sixth -- our first
12 panel of the day, which is Panel 6 for the forum.

13

MR. FILIATRAULT: Thank you, Chairman Hersman. To
14 further discuss intervention opportunities, the sixth panel will
15 explore range of non-judicial approaches that can assist drivers
16 to make safe choices or prevent substance-impaired driving from
17 occurring. Dr. Saltz from the Prevention Research Center will
18 give our first presentation, discussing the rationale, evolution
19 and effectiveness of responsible beverage service for reducing
20 alcohol-impaired driving accidents. Dr. Saltz?

21

DR. SALTZ: Thank you. I've got the button now. If we
22 can get to the slides? Thank you. I'm going to be talking in the
23 few minutes I have about an approach to prevent alcohol-impaired
24 driving and other alcohol harms that we like to think of as part
25 of a public health model in which you can focus on some

1 interventions aimed at the individual drinker. You can look at
2 changes in the alcohol itself, alcoholic beverages, but there's an
3 enormous potential in working with the environment, both the
4 specific environment of bars and restaurants or also the broader
5 environment in the media and social norms as well. But today
6 we'll be talking about commercial bars and restaurants that have
7 licenses to sell alcohol for consumption on premise.

8 And responsible beverage services is an evolving
9 concept. I'm surprised that my text -- let me go back then. So
10 the first one -- the first line reads Early Dram Shop Law. In the
11 early days of this country there were laws that made it illegal to
12 serve someone who was a known drunk. This was to protect the
13 families of such people, and it held dram shop owners -- these
14 were taverns that served alcohol by the dram, which is a measure,
15 the unit of drinks in those days, and it held them accountable if
16 they were to serve someone who was a known drunk.

17 This evolved into some work done in the last century,
18 mid-century and later, on using the server as a referral agent.
19 Some work, especially by Australians, in a patron care approach
20 would have bartenders and recruit them to be sort of like referral
21 agents to suggest to the drinker they might go seek some
22 treatment. And then from there it wasn't too far of a -- too much
23 of a step to go into server intervention. And this began to get
24 very popular in the '80s, 1980s, and this was the idea of using
25 bar or restaurant staff to keep someone from driving if they

1 became intoxicated at the premise. So at the very least, one
2 aspect of responsible beverage service is to -- is sort of a harm
3 reduction strategy to reduce the likelihood that that person will
4 drive, and seek -- you know, the idea is to seek alternative
5 transportation or keep them there and have someone else drive them
6 home.

7 And then, finally, what I would call sort of full
8 comprehensive responsible beverage service takes a step back and
9 says what steps can management and staff take to reduce the
10 likelihood of intoxication in the first place? And then, failing
11 that, or if the patron came already intoxicated, then it would be
12 a matter of server intervention to keep that person from causing
13 harm to themselves or others.

14 So the different mechanisms that can be used to create
15 that kind of responsible beverage service to change those
16 practices would include typically server training, manager
17 training, a combination of both, or management consultation. The
18 idea is to tell the managers what they needed to do in the way of
19 changing practice and setting policies so that their staff will
20 carry out responsible beverage service practices.

21 Less often in this area or less often consciously or
22 overtly, the other kinds of things that may improve, you know,
23 serving practices would include liquor liability laws, and I'll
24 touch on that in a few minutes, laws that would increase the
25 penalties for someone who serves a patron who later was involved

1 in a crash, and there's also enforcing serving laws directly.
2 Most states have laws against serving someone who's intoxicated,
3 who is obviously intoxicated, but the enforcement is very labor
4 intensive and very difficult and so is rarely used.

5 And then, finally, responsible beverage service has been
6 part of comprehensive community prevention strategies most often
7 found in research products and not so often in the real world.

8 The rationale for responsible beverage service comes
9 from historical legal precedents of the kind I just referred to,
10 laws that hold the servers responsible in a variety of ways. The
11 reason we're interested in this as an intervention is that the
12 potential impact may be high. In some of the studies that out
13 there, we found reductions as much a 50 percent in the proportion
14 of patrons who are intoxicated, so I think the potential there is
15 very high.

16 It's very proximal to the danger of harm. This is the
17 place where the drinking actually takes place, and so any
18 intervention we can put right then is -- could be very effective
19 because it takes place at the time of the drinking, and it
20 minimizes reliance on the drinker's own judgment. If you can
21 effectively train staff or use house policies so that the drinker
22 is guided by the staff, you know, up to the point of outright
23 refusal of further service and the arrangement for alternative
24 transportation, then this could be a very effective intervention
25 because it doesn't rely on the drinker to make those decisions.

1 Let me just cover quickly that there are a number of
2 early efficacy studies. Those just attempt to look to see if the
3 concept has any value or any potential at all, and I have some
4 other slides that I'm not showing today that I'll keep in the set
5 for the docket so that people can review them later, and I'll also
6 provide references for the docket later so if people want to go
7 back to the original studies. But there was a TIFS evaluation
8 done in the mid-80's, 1980's, along with the Navy Server Study
9 I'll describe in a minute, and another study done in Thunder Bay,
10 Ontario, and I'm just going to talk about one of those, the Navy
11 Server Study in which we worked with one Navy enlisted club bar.

12 We did an extensive manager and server training program
13 primarily because we weren't sure what to include in it, so we
14 included everything we could think of. So it was a 16-hour
15 training spread out over 4 weeks. We had one Navy bar as the
16 intervention site and one as the comparison site, and what we
17 looked at was -- through both interviews and observations we
18 calculated the number of drinks the patrons had and divided by
19 their body weight and the length of time to make an inference
20 about their blood alcohol level.

21 And in this chart, which you may not be able to see too
22 well possibly because it's a historical document from 1984, we did
23 reduce intoxication among male customers -- well, across the board
24 by half. And that top line there is that among all males there's
25 about a -- over 30 percent of them had been legally intoxicated as

1 imputed from the data and we dropped that to 15 percent. And then
2 drivers who were already monitoring their drinking, but, likewise,
3 their proportion intoxicated dropped from about 12 percent to 6
4 percent, and women are at the lower two lines there. So, although
5 the design was not the strongest design by today's standards, it
6 did show a fairly massive impact.

7 I also wanted to just point to a number of studies that
8 took this up to scale. The study I just referred to was just at,
9 you know, two places, one for comparison, but other studies have
10 gone to larger scales. And one of them is Jim McKnight's NHTSA-
11 funded study in which they went to 100 establishments across 8
12 cities with a 3-hour training and used 135 comparison sites, so a
13 fairly massive undertaking using the so-called pseudo-patron
14 protocol in which they test the effectiveness of the intervention
15 by sending in people pretending to be intoxicated to see if they
16 could be served. And in that study they found that trained
17 servers were likely to intervene in some way. They went from 27
18 percent versus 14 percent at the baseline. So they improved some
19 kind of intervention, but mostly it was comments to the drinker
20 that they really should watch their drinking, they shouldn't have
21 another drink, but outright refusals still were very low at 5
22 percent. This is in the mid '80s.

23 Another study was the Oregon Server Training Law.
24 Oregon is unique as a state because it has training -- it had a
25 certificate required of all trainees, and they were able to

1 mandate training for all servers with the result that they had a
2 23 percent reduction in crashes in Oregon after the law went into
3 effect. This is controlling for a lot of other factors, including
4 other states around -- using other states as comparisons before
5 and after that policy went into effect.

6 So let me just briefly mention one other major study.
7 The Stockholm Study was a 2-day server training and it achieved --
8 a refusal to intoxicated patrons increased -- the refusal
9 increased from 5 percent to 47 percent in 2 years, rising to 70
10 percent in 4 years. And they were mostly interested in assaults
11 and found a 29 percent reduction in assaults and other threats.

12 Now, I can see I'm not going to have time to go into
13 these other mechanisms that are available for enhancing serving
14 practices, but in the Texas -- in the case of Texas liability law,
15 I'll just talk about that one. They established the law through
16 case law. In other words, it wasn't passed through the state
17 legislature, but rather it was found -- bars were found to be
18 responsible, and those court rulings were heavily publicized.

19 A 10-year time series analysis found that in the first
20 case it reduced crashes, single vehicle nighttime crashes, by 6½
21 percent, net of other influences; and in the second case, which
22 came in the same year, resulted in an additional 5.3 percent
23 reduction of these single vehicle nighttime crashes, which is our
24 proxy for drunk driving.

25 So I'm running out of time, but I will just say -- maybe

1 zoom ahead to significant -- to these conclusions, that RBS
2 evaluations can significantly reduce alcohol impairment and
3 intoxication, but we don't really know yet how to guarantee that
4 result, what combination of incentives and disincentives will
5 guarantee widespread adoption of responsible beverage service
6 practices.

7 Training alone doesn't seem to be effective from some of
8 the studies that I've just cited here, but the Oregon case remains
9 sort of an anomaly in that because that was merely training and
10 they achieved a fairly significant reduction in drunk driving.

11 So I'll leave it there. Thank you very much.

12 MR. FILIATRAULT: Thank you, Dr. Saltz. We will now
13 hear from Chief Frederick Mahony representing both the
14 Massachusetts Alcoholic Beverages Control Commission and the
15 National Liquor Law Enforcement Association. Chief Mahony will
16 discuss the regulation of establishments' license to sell alcohol
17 and the enforcement of those regulations for reducing alcohol-
18 impaired driving accidents. Chief Mahony?

19 CHIEF MAHONY: Chairman Hersman, Members of the Board,
20 thank you for the opportunity to be here today.

21 The National Liquor Law Enforcement Association is
22 comprised of state and municipal law enforcement agencies from
23 about the nation. The Massachusetts Alcoholic Beverage Control
24 Commission is the primary liquor law enforcement agency in
25 Massachusetts to enforce the Liquor Control Act.

1 In Massachusetts, because of limited resources, which is
2 a problem really throughout the country, we have developed a
3 three-prong approach to our enforcement, the first to be a
4 baseline enforcement of underage compliance checks that are
5 designed to increase the vigilance of bar and liquor stores in
6 checking identification. In 2000 we had approximately a 20
7 percent failure rate in these, and in 2012 we are currently
8 running a 5 to 7 percent failure rate, so it's something that we
9 find very effective.

10 Something that is the focus of today and I think is
11 really our primary focus in Massachusetts is targeted data driven
12 enforcement. It is data based and intensive enforcement at bars
13 that are seen as the source of impaired driving arrests. We than
14 have enhanced enforcement, which is a seasonal effort particularly
15 in Massachusetts such as the college communities around the fall
16 when the young people are back to school.

17 Massachusetts, to give you a very quick foundation, as
18 every state in the country, receives its authority from the
19 Twenty-First Amendment to the Constitution, providing for broad-
20 based state authority to create the structure in which alcohol is
21 transported, sold and regulated. This regulation and enforcement
22 is comprised primarily of public safety issues such as sales to
23 intoxicated and underage persons, but also includes illegal
24 activity with everything from gambling to narcotics.

25 There are approximately 600 on- and off-premises

1 licenses in the United States. In 2009 the number of licensed
2 premises to enforcement agents ranged from 65 to, in some states,
3 2,600, which, clearly, you can see is problematic, but the average
4 is approximately 200 licensed premises per agent.

5 The process that we go through is, as you can see on the
6 screen. When agents observe liquor law violations they can either
7 file charges against the licensee before an administrative panel
8 or against the server in a criminal court. Administrative
9 penalties can have a tremendous impact on the future conduct of
10 the bar or liquor store and, thus, the safety as well as the
11 quality of life for the community in which it is located.

12 Substantial fines, penalties held in abeyance, and
13 license conditions tend to make unlawful conduct no longer
14 financially viable for the bar owner, and in some cases that
15 financial viability is really the bottom line of what's going to
16 change the conduct of the bar owner.

17 I will go quickly through the -- a couple of these
18 slides. The CDC has noted the effectiveness of sobriety
19 checkpoints and multi-component interventions with community
20 mobilization. Particularly, they found effective in reducing
21 youth alcohol -- access to alcohol, which, in turn, hopefully
22 prevents impaired driving among youth.

23 The CDC concluded there was insufficient evidence to
24 recommend these strategies based on the fact that only two studies
25 have been conducted relative to sale to intoxicated enforcement.

1 We believe, however, through our experience and supported with
2 some data, that the proactive enforcement of these laws can save
3 lives. We've seen the effect that it has had in Massachusetts and
4 in some states throughout the country, and I'll present a couple
5 of those to you now.

6 I think that -- to give you an overview, sales to
7 intoxicated persons enforcement is conducted by state alcohol
8 enforcement agencies across the nation with very few examples.
9 There are also varying degrees of this enforcement depending on
10 available resources as well as, quite frankly, public and
11 governmental awareness on its impact on impaired driving.

12 I will provide you a quick example. In New Mexico, New
13 Mexico changed their liquor control regulations so that in cases
14 where a person leaves a licensed establishment with a BAC of .14
15 or more, the BAC can be used as presumptive evidence of a service
16 to intoxicated violation. New Mexico has also begun an aggressive
17 campaign towards binge drinking and impaired driving. I think
18 this is -- I'd love to have this statute in Massachusetts. I
19 think it's a great idea.

20 I would suggest that, while the more advanced analyses
21 are still being conducted, New Mexico has presented some
22 preliminary findings summarized here on the screen, and the more
23 detailed information can be found on the CDC website. We find the
24 results to be very promising for increased attention and resources
25 being directed to enforce sales to intoxicated laws.

1 I can show that place of last drink data. Well, first
2 let me tell you for those of you that are not aware, place of last
3 drink data is -- it varies, but primarily it is the arresting
4 officer simply asking the intoxicated person where they had their
5 last drink. In Massachusetts we have a statute where the
6 information comes from the courts where when a person is convicted
7 they are asked in the court by the judge or the probation officer,
8 depending on the court, where they had their last drink. That
9 information is then compiled and sent to our agency.

10 As you can see, 2001 we did not have much activity.
11 Over the course of those years the NLLEA has promoted the
12 collection of place of last drink data as a promising strategy to
13 reduce impaired driving and, as you can see, even though while the
14 collection systems are not as systematic or comprehensive as we
15 would like, we have seen an increase in the number of states
16 collecting at least some form of place of last drink data.

17 The next area I'm going to focus on will be
18 Massachusetts which, clearly, I would like to suggest has been a
19 very successful program. Each year 2,400 place of last drink
20 reports are filed with our commission. In order to optimize
21 resources and gain maximum impact, we utilize this data to
22 identify bars of the highest number of place of last drink reports
23 and, thus, pose the highest risk to public safety.

24 We then conduct enforcement operations at these bars.
25 If the bar serves an obviously intoxicated person, the bars are

1 charged and safe transportation or protective custody is arranged
2 for the intoxicated person.

3 We then conduct administrative hearings based on our
4 reports -- let's strike that. The commission holds administrative
5 hearings where we present our evidence and we ask for a couple of
6 stipulations on their license. We ask for additional suspension
7 held in abeyance for one to 2 years to act as a deterrent from
8 further activity, and we also ask for mandatory server training to
9 try to pull in the education aspect to the penalty. We also
10 conduct some follow-up investigations to see how the compliance is
11 taking place.

12 We see the impact with the change in bar operations and
13 police reports to us personally of the impact it had on their
14 roads and highways as well as their communities. However, this
15 enforcement is not as easily quantifiable as, say, a compliance
16 check where you have people out constantly collecting very
17 specific data as to what someone is served. So in Massachusetts,
18 quite frankly, we felt the need that we needed to prove it both to
19 our superiors that this is work worth doing in order to have
20 effective place of last drink or sales to intoxicated enforcement.

21 Before I change the slide I would -- well, I'll get to
22 this in a minute. In 2007, in order to prove the information that
23 we felt we saw on a daily or weekly basis, we developed our list
24 of 31 worst offenders to be identified as being associated with
25 the highest number of OUI convictions. Twenty-four of these bars

1 were charged. These bars were located in 22 municipalities in 8
2 counties throughout Massachusetts.

3 Our enforcement data, and I do not pretend to be the
4 quality of any type of researcher that are here on the panel with
5 me, I can simply state this is research compiled by enforcement
6 officers within our agency, but the data clearly indicates there
7 was a dramatic, extremely dramatic, reduction in the place of last
8 drink reports coming from the bars that were subject to this
9 enforcement and, more importantly, were charged. The bars that
10 were not charged did not see a reduction in the place of last
11 drink data the following year. We thought this was very
12 promising.

13 MR. FILIATRAULT: Chief Mahony?

14 CHIEF MAHONY: Yes, sir.

15 MR. FILIATRAULT: I wonder if you could just wrap it up
16 now, please.

17 CHIEF MAHONY: Yes, sir.

18 MR. FILIATRAULT: Thank you.

19 CHIEF MAHONY: We've also found that our worse offender
20 list numbers have dropped and that overall we've made some real
21 progress on this.

22 I'm just going to jump to our recommendations and I will
23 wrap it up simply by stating we feel that these four or five
24 recommendations could have a substantial impact on sales to
25 intoxicated, and by developing our sales-to-intoxicated

1 enforcement you will see a reduction in impaired driving across
2 the country. Thank you.

3 MR. FILIATRAULT: Thank you, Chief Mahony. Our next
4 panelist is Dr. Robert Taylor from Howard University. Dr. Taylor
5 will discuss the use of screening, brief intervention and referral
6 to treatment by the medical community; the effectiveness of
7 screening, brief intervention and referral to treatment; and the
8 reasons why Howard University incorporated screening, brief
9 intervention and referral to treatment into it's primary care
10 resident training curriculum. Dr. Taylor.

11 DR. TAYLOR: Thank you. Howard University Hospital,
12 originally known as Freedmen's Hospital, is a major teaching
13 hospital of Howard University College of Medicine, having a Level
14 I trauma center and having numerous medical residency programs
15 that are accredited by the American Council for Graduate Medical
16 Education. In addition, we train over 500 medical students.

17 The Howard University Alcohol Research Center was
18 established in 1997, funded primarily by the National Institute on
19 Abuse and Alcoholism. It was founded to stimulate and strengthen
20 and facilitate multi-disciplinary research and collaboration that
21 will lead to the reduction of alcohol morbidity and mortality
22 among minority populations, with emphasis on African-Americans.

23 Now what is SBIRT? SBIRT is the acronym for Screening,
24 Brief Intervention and Referral to Treatment. The screening
25 involves a screening tool; we use the AUDIT. The brief

1 intervention is a motivational interview, and a determination of
2 readiness for change and referral to treatment if the individual
3 is positive. This whole process takes some 7 to 10 minutes. It's
4 an evidence-based, comprehensive, integrated public health
5 approach conducted by health and social work providers to screen
6 patients and deliver early intervention and treatment.

7 Now, there are several projects that were the
8 foundations of the SBIRT program at Howard. In addition to the
9 Alcohol Center, we work with the School of Social Work. We work
10 with area high schools as well to help students understand
11 alcohol. We have a medical education program for medical students
12 as well as participation in an alcohol intervention in an inner-
13 city emergency room project in 2003.

14 This project involved 16 centers, of which we were one,
15 in which over 7,000 patients presenting to the emergency room were
16 screened using SBIRT. Twenty-six percent were positive, positive
17 for at-risk drinking, and received a brief intervention and
18 controlling for baseline drinking. Patients receiving the
19 intervention had 3.25 fewer drinks per week than controls after
20 the intervention, and we followed these patients for at least 12
21 months, and the intervention had some resilience at least up until
22 the 6 months.

23 The intervention was more effective with at-risk
24 drinkers than dependent drinkers, so this modality is more
25 effective with at-risk, that is, moderate drinkers, and the --

1 among non-dependent drinkers the intervention group was twice as
2 likely as controls to no longer exceed the NIAAA guidelines of
3 four drinks for men per day and three -- two for women.

4 Now there are a lot of missed opportunities that's been
5 determined in terms of training. Johnson in 2005 indicated that 9
6 out of 10 physicians failed to diagnose substance abuse in adults.
7 They just don't get the training in medical schooling and
8 residency. Many of them miss it in teenagers, but -- and only a
9 few were prepared to identify dependence on illegal drug and
10 prescription drug abuse.

11 Why SBIRT? There are many commissions that have come
12 out for this evidence-based modality. The U.S. Preventive Service
13 Task Force, the Joint Commission on Accreditation of Health Care
14 Organizations, and particularly the American College of Surgeons
15 mandates that Level 1 trauma centers have a mechanism to identify
16 problem drinkers and to provide brief intervention.

17 At Howard we've adopted the acronym RISK: Raise the
18 issue of substance abuse, Inform the patient, Screen for the
19 substance abuse problems, and Know how to offer brief intervention
20 and referral to treatment.

21 On the docket you can see what our mission is, and that
22 is to provide physicians and other health care providers with the
23 quality education to be able to provide the SBIRT.

24 Our target specialties are here: family medicine,
25 internal medicine, OB/GYN, psychiatry and general surgery. That

1 are what we consider primary care specialties. The intervention
2 and training starts with every resident that comes into Howard
3 Hospital gets a lecture on know the RISK. That's a 1-hour lecture
4 about alcohol abuse and substance abuse.

5 They then do a practice of the 7- to 10-minute
6 intervention with some direction. There's a 2-1/2 hour curriculum
7 online. They then collect five patients that they actually do the
8 intervention. And then they have a clinical skills evaluation in
9 our clinical skills laboratory where the intervention is practiced
10 on a simulated patient with a simulated case and is recorded, and
11 then it's graded. Then there's a booster sometime later.

12 The preliminary results are promising in the level of
13 experience of our residents working with patients with an alcohol
14 or illicit drug problem. The level of experience is actually
15 higher than we thought, but they are really motivated to do more
16 and, in fact, the readiness to initiate screening and brief
17 intervention in patients increases with the training.

18 Finally, the summary of our preliminary results is that
19 residents experience change in their attitudes and readiness to
20 train. The training was well accepted and currently we are
21 incorporating patient feedback in the SBIRT visits.

22 The lessons we've learned is that you need to get buy-in
23 from all parties, from the departments, from the department
24 champions, and there needs to be liaison to assist the SBIRT
25 program in facilitating faculty and resident training.

1 And emphasizing that these same techniques, motivational
2 interviewing and screening, can be applied to helping patients
3 that address other chronic diseases like hypertension and
4 diabetes. Other resident specialties have asked to be trained.
5 Dentistry all the way through pharmacy and other health care
6 professionals have asked for training, including social workers,
7 genetic counselors.

8 Now, we're also doing several other things. So the
9 question is what is the effectiveness of this training on impaired
10 driving, and there's very little literature on this, and there are
11 some slides in here that are -- that will be on the docket that
12 show some of the things that we are doing in trying to address
13 impaired driving, but the major articles that have come out are
14 listed here.

15 One just came out as in-press in the Annals of Emergency
16 Medicine, which basically screened 800 patients at Yale, and they
17 found that emergency practitioner-performed brief intervention can
18 reduce alcohol consumption and episodes of driving after drinking
19 in hazardous and harmful drinkers.

20 So in their study they reduced the number of drinks in a
21 7-day period by 50 percent by this intervention. The number of
22 28-day binge episodes were reduced by 50 percent, and the rates of
23 driving after 3 drinks was reduced over 50 percent in this 12-
24 month follow-up. It was more effective in older adults than it
25 was in younger adults. So this represents the largest study of

1 this kind that looked at this intervention in regard to hazardous
2 and harmful driving.

3 Other studies that are in the literature are listed on
4 the slide. Motor vehicle crash patients given brief intervention
5 for alcohol plus a booster had fewer alcohol-related injuries, but
6 there are really limited published findings, mainly abstracts,
7 that talk about brief intervention and this needs to have more
8 research associated with it. Thank you.

9 MR. FILIATRAULT: Thank you, Dr. Taylor. The next
10 presenter, Dr. Bud Zaouk from QinetiQ North America, will deliver
11 the final presentation on the development of the Driver Alcohol
12 Detection System for Safety programs and it's potential uses.

13 Dr. Zaouk.

14 DR. ZAOUK: Thank you. I'm going to talk a little bit
15 about the Driver Alcohol Detection System for Safety and what is
16 -- well, which we refer to as DADSS. What is DADSS? DADSS is a
17 cooperative agreement between the Automotive Coalition for Traffic
18 Safety, which represents the leading automakers in the U.S., and
19 the National Highway Traffic Safety Administration.

20 This agreement started in February of 2008 and it's a 5-
21 year program. The purpose of the program is to develop and test
22 prototypes that can be considered for vehicle integration that are
23 non-invasive, seamless technologies to measure the blood alcohol
24 or breath alcohol concentration and reduce the incidents of drunk
25 driving.

1 The primary goal here is to measure alcohol accurately,
2 and I'll emphasize accurately, precisely and reliably, in a very
3 short period -- and we'll talk a little bit about that later on in
4 the presentation -- so that the sober driver is not
5 inconvenienced.

6 There's a lot of focus on not inconveniencing the sober
7 driver and these devices are intended to prevent impaired drivers
8 that are at or above the legal limit of .08 from driving their
9 vehicles. It supports a market-based approach to prevent drunk
10 driving and the program is split into two phases, where Phase 1
11 was developing proof-of-principle prototypes, and the Phase 2 is
12 to develop the subsystem and integrate it into a research vehicle.
13 I'll be focusing more on Phase 1 in this presentation.

14 These are the participating manufacturers under ACTS.
15 As I mentioned, the program was split into a couple of phases, but
16 before we started anything we wanted to assess the current state
17 of technology, see where the technology is today when it comes to
18 alcohol detection in general.

19 We started with patents and literature reviews, and out
20 of those we developed -- at the same time in parallel we developed
21 a very stringent performance specification that each device had to
22 meet, and in our performance specification we specified
23 reliability, accuracy, precision, as well as a host of other
24 automotive specifications that each technology needs to meet.

25 Then we went out with a request for information, looking

1 at who is out there and what kind of technologies do they have,
2 and once we identified these technology developers we then went
3 into an RFP, or Request for Proposals, where we funded three
4 different technologies to move into the Phase 1 or the proof-of-
5 principle prototype. In this phase we did the development of a
6 prototype where we can test in a lab in bench testing, but as well
7 as human subject testing.

8 And then based on the success of these technology
9 developers they were invited to a Phase 2 funding where we're
10 going to be looking at this point -- and this is ongoing right
11 now, where we're going to be looking at integrating into a
12 research vehicle.

13 The two technologies that made it to Phase 2 are -- one
14 is made by Autoliv Development, a Tier 1 supplier, an automotive
15 Tier 1 supplier. It's a breath-based system and breath-based
16 systems have extensive real world experience with measured BRAC or
17 breath alcohol concentration. We use alcohol and carbon dioxides
18 and we measure those by an infrared sensor. The carbon dioxide
19 measures the breath dilution. Because of the distance to the
20 sensor you have some dilution in the ethanol or alcohol
21 concentration.

22 The schematic on the right that you see shows the actual
23 prototype where a sample is drawn into -- it's either pulled in or
24 pushed into what we call an optical cavity. A light, an infrared
25 light, is shined and out of that we are able to calculate the

1 ethanol concentration or accurately calculate the ethanol
2 concentration.

3 The idea of implementation, as you see in the image on
4 the bottom left, is -- these are sensors that will be either in
5 the steering wheel or in the header or in multiple locations of
6 the vehicle pulling breath samples as the occupant is breathing.

7 The second technology that we're looking at is by
8 Takata-Trutouch and it's a touch-based technology, and this
9 technology works by where when a finger is placed on the touch pad
10 interface -- and as we're moving to more and more vehicles using
11 start/stop buttons the integration will be in that kind of area,
12 the black body or the light source shines a light and then that
13 light shines inside the finger and any refraction or reflection of
14 the light is absorbed and measured, and then an interferometer
15 will measure the intensity at each wavelength, and these
16 wavelengths will look for ethanol concentration and we derive this
17 concentration and display it.

18 So where are Phase 1 requirements? As I mentioned, we
19 have some very stringent requirements that we wanted to
20 accomplish. The first one is to measure from .01 to .12 blood
21 alcohol concentration or breath alcohol concentration. Our
22 measurement time was less than half-a-second, so 325 milliseconds
23 to actually measure the concentration and report back whether you
24 are at or above the concentration. Our accuracy for between .07
25 and '09 BAC was plus or minus 0.0003 percent BAC, which is

1 extremely stringent compared to current C.F.R., Code of Federal
2 Regulations, requirements.

3 This all prompted us and showed that there is a need for
4 us to also develop a calibration device as well as the technology.
5 So we didn't have anything that can measure that accurately, so we
6 had to go into an effort to develop that calibration system which
7 we call standard calibration devices. And for those -- just to
8 emphasize what accuracy and precision is, the schematic on the
9 right, what you see is you have a highly accurate target where
10 you're able to hit the target, so you have very high accuracy but
11 low precision since the dots are around the circle. But on the
12 second -- on the bottom one you see you have very high precision
13 but low accuracy, and we needed to meet both of these, you know,
14 specifications, accuracy and precision, at plus or minus 0.0003
15 percent. And what I'm highlighting here is again the C.F.R.
16 standard today, and, as you see, we are in order of magnitude more
17 stringent than what's currently out there.

18 So our standard calibration devices, we had to develop
19 one for breath-based and one for touch-based. In the bottom right
20 corner we had to develop a gas that replicates a human breath.
21 The first part we did was what's called a dry gas which contains
22 only ethanol and nitrogen or alcohol and nitrogen, and we were
23 able to get it to a very high accuracy and precision of plus or
24 minus .5 parts per million, which is equivalent to plus or minus
25 0.0002 percent BAC.

1 But that dry gas needed to replicate a human breath,
2 which means you have to have humidity, and for that we developed
3 what we call the mechanical lung, in the main picture on the
4 right, and essentially what that does is it adds humidity to the
5 breath and keeps that accuracy and precision.

6 And then on the left side we had to develop a standard
7 calibration device for a touch-based system and what you see on
8 the left bottom corner is the solution that optically replicates a
9 human finger, and that's why we introduced a sensor, to be able to
10 calibrate the sensor and verify the accuracy and precision.

11 We went through a series of tests and -- after we
12 received the prototypes, and there was a lot of good news out of
13 the first phase. Remember the first phase is a prototype
14 evaluation, so we wanted to see how close are we going to be able
15 to get to these stringent requirements. And on the accuracy we
16 were very close. We actually exceeded it in certain cases if you
17 have a longer duration to measure. On the precision, we knew we
18 were challenged and that's part of the next phase, is to work on
19 that and improve it, so -- but compared to current standards we
20 are within on the precision, but well below or much improved on
21 the accuracy side. Same thing on the Autoliv or on the breath-
22 based system, very good on accuracy, but challenged on precision,
23 but also well below current standards.

24 And obviously all this, we have to verify that it works
25 very well on humans, so we undertook a human subjects study. We

1 had about 15 participants where we actually draw blood. Well,
2 they're given an alcohol drink. It's called bolus drink, and
3 where they're given an alcoholic drink that they consume within 20
4 minutes. It gets their blood alcohol concentration to around .12.
5 And then we just continuously measure all the different systems
6 that we have, the breath-based, the touch-based, as well as a
7 handheld device. And we draw blood at the rate of 1 milliliter
8 per minute, and we take a sample every 2-1/2 minutes, so we
9 calculated the actual blood alcohol concentration.

10 And the two graphs on the right side show you the
11 comparison between all the different devices, and what we're
12 looking for in this first phase is do they match, do these devices
13 work on humans as well as on an SCD, or a standard calibration
14 device. The good news is they do. They work very well and they
15 trend extremely well compared to the blood alcohol concentration.
16 And that concluded our first phase of the study.

17 So, in summary, on this first phase we had three DADSS
18 Phase 1 proof-of-principle prototypes that completed the human
19 subject testing. The Phase 1 results were very promising. They
20 indicated there's a potential to meet the DADSS performance
21 specifications when it came to measurement time, accuracy and
22 precision. We've identified the research work needed to meet the
23 DADSS specifications, so that's part of our GAP analysis, and we
24 have selected the two technology providers to continue to Phase 2
25 award, which is Autoliv and Takata-Trutouch. And we currently are

1 in Phase 2. We just started Phase 2 of the research. Thank you.

2 MR. FILIATRAULT: Thank you, Dr. Zaouk.

3 Chairman Hersman, this concludes presentations and the
4 panel is now ready for questions.

5 CHAIRMAN HERSMAN: I think if you all would like to take
6 5 minutes for staff questions that would be great.

7 MR. FILIATRAULT: Thank you. Dr. Saltz, can you talk
8 about how widespread responsible beverage service would be, as a
9 first question, and following with that what would be the
10 incentive for a drinking establishment to implement a responsible
11 beverage service program?

12 DR. SALTZ: Right. These kinds of incentives and
13 disincentives are set by -- usually by state laws, and the last
14 time I looked there were about a dozen states who mandated server
15 training and about another dozen who gave, you know, some kind of
16 positive incentive for bars and restaurants who would go through
17 the training as well. So, you know, maybe half the states have
18 something in legislation that would encourage RBS.

19 The issue of incentives and disincentives, as I
20 mentioned, is something we haven't systematically checked out in
21 our research, but it seems clear that if you can have some level
22 of enforcement with the education and training as the backup for
23 that in combination with laws that are clear about liability --
24 in some states you even have laws that restrict liability so that
25 owners are necessarily feeling responsible for when they serve

1 patrons. And then finally the insurance liability issue is one
2 that we looked at some years ago and it seemed that a lot could be
3 done in partnership with insurance -- liability insurance
4 companies to bring them into the picture and give incentives to
5 bars and restaurants as well.

6 MR. FILIATRAULT: Thank you. Just to follow up on that,
7 what would it take for the insurance companies then to develop
8 incentives for drinking establishments to bring about responsible
9 beverage service?

10 DR. SALTZ: Well, some of them -- a couple of them have,
11 you know, done such things as given a 10 percent reduction, say,
12 for a bar/restaurant that trains their staff. We think much more
13 could be done, but we're not actually sure, you know, how this
14 looks from the insurance business because we were told that their
15 liability rates are not just a simple issue of, you know,
16 calculating whether their place had done the training or not, and
17 they may have to be convinced with further research that they'll
18 see a reduction in claims as a result of training and enforcement,
19 so I'm not sure that anybody has a clear answer on that question.

20 MR. FILIATRAULT: All right. Thank you. Chief Mahony,
21 does the liquor license enforcement in terms of the alcohol and
22 beverage commissions in each state, do they work closely with
23 responsible beverage service organizations that provide those
24 programs to drinking establishments?

25 CHIEF MAHONY: I don't believe that we do see a lot of

1 that right now. I think it's starting to develop. I know there
2 are at least two or three states where they're saying, all right,
3 let's work with these. Some states might not want to go out and
4 choose one responsible trainer or so on to do that, so we don't
5 see a lot of it. I think there is a lot of promise here. I think
6 you could look at licensees that -- or bars and liquor stores that
7 are habitual offenders, or first time offenders for that matter,
8 that could be required to go through training. I think it's a
9 very important step.

10 MR. FILIATRAULT: Great. Thank you. Dr. Taylor, how
11 would an individual physician, for example, working in an
12 emergency ward, how would that individual physician know that they
13 had an effect on somebody that they did a screening, brief
14 intervention and referral to treatment on, an individual patient?

15 DR. TAYLOR: Well, the only way they would really know
16 would be to do some kind of follow-up. Often in emergency rooms,
17 you may or may not know that you have your what we call frequent
18 flyers, and so it's sort of a revolving door so you get to know a
19 subset of patients that come in with certain kinds of problems.
20 Especially in the inner city and urban areas, I would say 90
21 percent of the care is administered in the emergency room, not in
22 individual practitioners' office, and that includes in Washington
23 the Rand study pointed to that very clearly, that providing
24 primary care to the community was sub-optimal and the care was in
25 the emergency room, which is much more expensive.

1 So what you have to rely on really are these studies
2 where they're systematic. They're followed up. The patients --
3 unfortunately, a lot of the responses we get in the follow-ups are
4 self-report, but they are incentive for the patients to call back
5 in and give that self-report, and the study is designed to follow
6 up for 6 to 12 months, so this issue of resilience of the
7 treatment is very important.

8 There is also something called a booster, which means
9 that you give another intervention, say, 30 to 60 days later.
10 Some studies have shown that the booster aids in making the
11 intervention more resilient. Others show that it does not. So,
12 again, an individual physician may or may not know unless there's
13 some sort of follow-up mechanism, and in emergency rooms it's not,
14 but in a primary care surely it could be.

15 MR. FILIATRAULT: All right. Thanks very much,
16 Dr. Taylor. Chairman Hersman, we're out of time here, so we'll
17 pass on the panel to the Board.

18 CHAIRMAN HERSMAN: We'll move to Member Weener.

19 MEMBER WEEENER: Thank you. I have a question for
20 Dr. Taylor. You know, yesterday we heard about programs on the
21 judicial side such as DUI courts, and I'm wondering how your
22 program, SBIRT, fits in with programs like we heard yesterday for
23 the DUI courts?

24 DR. TAYLOR: Most of the DUI courts are probably going
25 to be dealing with individuals that are dependent alcoholic

1 drinkers. Our program is really primarily designed for people who
2 are at risk and not have reached dependency. The other thing is
3 that the SBIRT is in its infancy in terms of reaching the medical
4 community. It's been pushed very hard by SAMHSA, very hard by
5 NIDA, and by the Office of the National Drug Control Policy as
6 well.

7 So where we'll end up with this in terms of DUI courts
8 is probably something that we have to look for in the future, but
9 I think most of the individuals are going to be dependent, not at
10 risk, but that's certainly a mechanism by which a recommendation
11 could go out to the DUI courts.

12 MEMBER WEEFER: All right. Thank you. Dr. Zaouk, the
13 DADSS development as you described it is in Phase 1 at this point.
14 Phase 1 is basically approving of the detection system; is that
15 correct?

16 DR. ZAOUK: Correct. So we completed Phase 1
17 successfully and we've moved on to Phase 2 at this point. We
18 started Phase 2 a couple of months ago, so we're at the start of
19 Phase 2.

20 MEMBER WEEFER: And Phase 2 is what?

21 DR. ZAOUK: Integrating the system into a vehicle so
22 that you have -- the outcome is going to be a research vehicle
23 with both systems integrated.

24 MEMBER WEEFER: I presume you've given a lot of thought
25 to how the system can be gamed once it's installed in a vehicle.

1 DR. ZAOUK: Absolutely. So part of the specification is
2 circumvention and tamper-proof -- circumvention prevention and
3 tamper-proof. Those are critical things that we address in the
4 specification.

5 MEMBER WEEFER: Do you have confidence that you'll be
6 able to raise the system to the kind of integrity that you're
7 going to need?

8 DR. ZAOUK: Absolutely, yes. It has to meet all the
9 automotive specifications so they can be integrated into a
10 vehicle, so we are -- this is part of Phase 2 right now where
11 we're focusing more on all the different aspects, from reliability
12 to undergoing a series of tests to make sure it can go inside a
13 vehicle and meet all the current requirements.

14 MEMBER WEEFER: But how easy is it to prevent a
15 surrogate from doing the test as opposed to the driver?

16 DR. ZAOUK: Oh, I'm sorry, I see. Yeah. So no, it's
17 going to be driver focused, so in the breath-based system it is
18 triangulating on the driver only, and in the touch-based system
19 there is a system to make sure that it's only the driver touching
20 the start button in that case, the start/stop button of the
21 vehicle. It's a proprietary method of -- that, unfortunately, I
22 can't disclose because of the development process of it, but it
23 makes sure -- it ensures that the person seated in the driver seat
24 is the one pressing the button.

25 MEMBER WEEFER: Okay. Thank you. Dr. Saltz, it seems

1 to me that when it comes to intoxication a bar has got an
2 interesting kind of balancing act that it has to have because its
3 revenue depends on selling drinks, but what we're saying here is
4 through responsible beverage service we want to limit the sales --
5 or limit drinks, but implied, limit sales. How does an
6 establishment go about balancing that?

7 DR. SALTZ: Yes. First of all, the legal context makes
8 a lot of difference. So if they're motivated to comply with the
9 law because of the fear of fines or even suspension or loss of
10 license that's a tremendous disincentive for continuing to sell to
11 obviously intoxicated patrons. But, second, there are many other
12 ways bars and restaurants make money. If it was only the alcohol,
13 the patrons could just go buy it at a liquor store or grocery
14 store or something, and what their -- so a part of it is allowing
15 -- is showing the bar/restaurant that what they're selling isn't
16 so much just alcohol but the environment in which it's sold and
17 the place as a social location.

18 I know it sounds a little Pollyannaish, but that's
19 usually how we tend -- in the training or consultation with
20 managers how we tend to get them focused on ways to enhance the
21 experience for their patrons, which includes -- you know, we show
22 them not having intoxicated patrons in their midst, you know,
23 because that usually detracts from the attractiveness of the
24 place.

25 MEMBER WEEFER: Very good. Thank you.

1 CHAIRMAN HERSMAN: Member Sumwalt?

2 MEMBER SUMWALT: You fooled me. I thought it was going
3 to go to Member Rosekind, so yeah. Okay, great. Well, I think
4 that what we're trying to do here is we're trying to shift a
5 paradigm and we're trying to drive -- as we say here, we're trying
6 to reach zero, zero deaths due to impaired driving, and the work
7 that the people in this room and a lot of other organizations has
8 done up to this point has been tremendous. We have driven that
9 down significantly, but as we've all pointed out, we have
10 plateaued and we need to be innovative. And so I've been trying
11 to think about this, how can we shift this paradigm, and the thing
12 I keep coming back to -- and, by the way, I'll put you all at
13 ease, I don't think this is a question, but if you have comments
14 to it -- because I'm going to run out of time, if you have
15 comments to what I'm saying please talk to me during the break or
16 submit it to the record.

17 But what I believe is that we really do need that
18 societal shift. We need to make it understood within society that
19 this behavior is totally, totally unacceptable. And as it was
20 pointed out yesterday, driving while impaired, it's not just a
21 speeding ticket, it's not just a traffic violation, it is a crime
22 that you will -- if you kill somebody you will spend time in
23 prison, and even if you don't kill somebody you're probably going
24 to spend some time in jail anyway and lose your license.

25 I'm going to say some things that are kind of radical,

1 but it's all in the spirit of trying to get that societal change
2 that we need, and it really does that take that. You know, let's
3 talk about the victims. I think the Chairman made a point
4 yesterday that somehow there seems to be a difference in the value
5 of a life depending on how that person dies. Now, I don't believe
6 that and I don't think any of us believes that, but I see evidence
7 that somehow there does seem to be this difference in value in the
8 eyes of some.

9 I read the *USA Today* most days and I noticed that there
10 in the newspaper they list all of the names of the servicemen who
11 have died in the previous day or so in the war in Afghanistan and
12 I want you to know that every one of those lives is precious, and
13 I'm not trying to undermine one life in favor of another, but they
14 make it a point to say that since the war in Afghanistan 1,845
15 lives have been lost. Well, in that same 10-year period we've
16 lost 150,000 lives due to impaired driving.

17 And so why is it that the media is choosing to focus on
18 one venue and not the other? And it could be that it's very
19 patriotic to go out and say, well, these people have died fighting
20 for our country, and that's a very, very important and noble cause
21 and I thank them for that, but why is it that we're not listing
22 the names of everybody who's died in the previous day or so in a
23 driving while impaired accident? I know this sounds kind of
24 radical, but why is it they're not doing that, and maybe the
25 newspaper has decided that they want to honor those who fought for

1 our country, but it could be a political agenda as well, that they
2 want to point out that this war is bad and maybe that's why
3 they're doing that.

4 So let's point out that in society it is no longer
5 acceptable to drive while drunk or drive while impaired. Let's
6 honor those people who have died in these drunk driving -- in
7 impaired accidents. Let's list their names and get it in the
8 newspaper every day so that everybody in society sees that.

9 And another thing, and this is going to sound radical as
10 well and I'm not necessarily suggesting it, but let's talk about
11 the victims. Let's put a social stigma -- I'm sorry, not the
12 victims, but the offenders. Let's put a social stigma on that so
13 that everybody knows this person has committed this crime. And,
14 again, this is a radical idea, but in most communities you can
15 pull up on the Internet and see sex offenders in your
16 neighborhood. And why? Because somewhere in society we've said
17 this is totally intolerable behavior. Well, it is, but so is
18 getting in a vehicle when you're impaired and going out and
19 driving or trying to drive. So why have we not put this emphasis
20 on things like that?

21 These are rhetorical questions, but the point is it's
22 time for a radical paradigm shift if we're going to achieve that
23 breakthrough reduction from 10,300 or 31 percent of traffic
24 fatalities down to 0. So those are just some thoughts, not
25 question, but it's just something I've been thinking about and I

1 appreciate the time. Thank you.

2 CHAIRMAN HERSMAN: Member Rosekind?

3 MEMBER ROSEKIND: Thank you. Dr. Zaouk, I realize we
4 talked about a lot of acronyms and a lot of technology, but I just
5 want to be clear. DADSS basically is a technology that I sit in
6 the car and if I breathe and turn on the car it's going to detect
7 alcohol present or not?

8 DR. ZAOUK: Correct. It's going to detect alcohol
9 present at or above legal limits.

10 MEMBER ROSEKIND: And you say legal limit, but I guess
11 really at this point it's a technology development project, a
12 research project, because really the range of implementation there
13 -- you say legal limit, but if I have a kid under 21 and I wanted
14 zero, could that be -- that's a policy question. Right now you're
15 still in the development phase of what's going on, and so I'm
16 curious -- you talked about accuracy and precision and some of the
17 challenges there. I'm curious from your perception sort of where
18 the biggest challenges have been: technology, equipment, scaling
19 in the future, you know, where do you see the biggest challenges
20 ahead?

21 DR. ZAOUK: To be honest, it's really a balance of
22 technology and speed of deployment or -- one of the things we're
23 doing is accelerating the development of this. It's using
24 technology from the defense industry and bringing it into
25 automotive essentially, or using technology from other different

1 -- from various applications and bringing it to an automotive
2 application. But the challenge has always been the accuracy and
3 the precision that we set because, obviously, we don't want
4 anybody above .08 to be able to drive and we want to be very
5 accurate when we say above .08 and not just hypothetical or, you
6 know, a close number, and the measurement speed.

7 This all has to be happening so fast that the non-
8 drinking driver, the person that doesn't drink, can get in the
9 car, start the vehicle as they typically do without being affected
10 or without even knowing that the system has done anything.
11 Obviously they will be notified that there's a system in their
12 car, but it's unobtrusive. That's the idea of it.

13 MEMBER ROSEKIND: Right, unobtrusive, but it's basically
14 just invisible.

15 DR. ZAOUK: Exactly.

16 MEMBER ROSEKIND: Breathe and touch the start and you've
17 got it.

18 DR. ZAOUK: Yes.

19 MEMBER ROSEKIND: And can you be a little more explicit
20 about the time course for the technology development and then the
21 potential to implement this within a fleet of cars that are
22 available?

23 DR. ZAOUK: Our current program is a 5-year program
24 which ends in 2013, and at the end of that program is when we're
25 going to be -- we'll have a demonstration vehicle. It's going to

1 be a DADSS research vehicle. We'll have both systems implemented
2 to demonstrate that technology. So during that period they would
3 have done some testing, some level of industry or automotive
4 testing to make sure they can -- that they're qualified to be in a
5 vehicle at the various temperatures, operating temperatures, but
6 we're still about 8 to 10 years away from full implementation.
7 There's still a lot of work to do.

8 The next phase I would envision would be a fleet
9 deployment where you're actually deploying these in the field and
10 looking at what are some of the issues that we're going to see.
11 So, you know, it's a process driven approach until we have it
12 inside a vehicle.

13 MEMBER ROSEKIND: And just a quick response to this, but
14 do you have sort of consumer acceptance or other kind of research
15 that's going on in parallel to get a sense of how people will, you
16 know, be interested in using this kind of technology?

17 DR. ZAOUK: We do. We have -- we are doing sort of a
18 public acceptance working group that looks at a lot of these
19 issues and looks -- and evaluating what are some of the public
20 acceptance issues we're going to have.

21 MEMBER ROSEKIND: Great. Dr. Taylor, besides the SBIRT,
22 the way you call it, you also mentioned brief interventions used
23 in emergency rooms. I'm curious. Are there other models of
24 either brief assessment or interventions that are out there?

25 DR. TAYLOR: This is a major model that we have today.

1 There have been other models in the past, but they have not gained
2 general acceptance. I think the fact that this one is evidenced-
3 based, accepted by the World Health Organization, CDC, and a joint
4 commission, this has emerged has the most well studied model that
5 the medical community has embraced.

6 SAMHSA's funding about 20 sites to do what we're doing
7 and we're one of the trailblazers in terms of training medical
8 doctors to do this after their residency, and the problem is that
9 most physicians don't do this detailed kind of screening and brief
10 intervention. They don't have the time.

11 MEMBER ROSEKIND: And actually that's the next question
12 which is, with an evidence-based method like this could you just
13 make a comment about what it's going to take to scale it up?

14 DR. TAYLOR: Well, the scale-up is what we're doing now.
15 It's part of the phase-in for training primary care physicians
16 first on a nationwide basis, these 20 sites, and there also some
17 state sites have been involved in this.

18 I think Massachusetts had a state SBIRT program funded
19 by SAMHSA and there are other states that they're funding. But,
20 again, it takes an enormous amount of resources to sustain this
21 kind of effort and that's the key to it. Once the grants are
22 over, can we sustain it and incorporate it into the culture of
23 training medical residents and medical students, and therein lies
24 the problem.

25 MEMBER ROSEKIND: All right. Thank you.

1 CHAIRMAN HERSMAN: Vice Chairman Hart?

2 VICE CHAIRMAN HART: Thank you. I have a question that
3 I'm not sure who would be the best to answer it, perhaps
4 Dr. Saltz, but if any of you have any thought on this. I'm just
5 curious, in the broadest view, what is the trend of alcohol
6 consumption in America generally because I'm wondering -- as I see
7 the flat rate of fatalities in driving, I'm just wondering how
8 does that compare with the background picture which is how much
9 are people consuming, alcohol, historically. It seems to me that
10 it was happening a lot more when I was growing up than it is now,
11 but that's just an anecdotal statistical sample of one, so I just
12 wonder if you have -- if any of you, especially Dr. Saltz, have a
13 sense of that?

14 DR. SALTZ: Yeah. I could probably -- I had heard this
15 question had come up before, so I looked up some data from the
16 National Institute on Alcohol Abuse and Alcoholism, and general
17 per capita consumption started rising in the late '50s from about
18 2 gallons a person of ethanol per capita. It peaked up in about
19 -- let's see, I'm looking at the chart, 1985 -- let's see, 1980
20 was the peak of the per capita consumption. Then it trailed off
21 again down to 2.2 gallons of ethanol per person, and now it's
22 slightly rising again. So there was sort of a peak period there
23 between the late '60s into 1980 and then it descended and is now
24 just sort of waving back up a little bit. Nothing too dramatic in
25 all of that.

1 And also the simple measures of per capita consumption
2 don't look at things like the changing demographics in the U.S.
3 and, of course, different groups drink at different rates, but I
4 would say overall it's not as though there's a dramatic change in
5 consumption over the last, you know, couple decades, at least
6 since World War II.

7 VICE CHAIRMAN HART: So to the extent that reduced
8 consumption is a partial answer to this, is there any lesson to be
9 learned from history that could help us know why it came down from
10 the peak; is there anything we can benefit from?

11 DR. SALTZ: No. Of course, some of these things have to
12 do with the economy and, you know, not just economic change
13 overall, but also again sort of the compositional effects of
14 different, you know, cohorts of people moving into different
15 income sectors and the like. A lot's been made about the
16 increasing popularity of wine, but it's still fairly -- you know,
17 its consumption is much less than that of beer and spirits. So
18 even though we hear about a lot of trends, I would say overall the
19 picture's pretty stable.

20 VICE CHAIRMAN HART: Okay.

21 DR. SALTZ: And, if anything, it's starting to, you
22 know, rise up now. I wouldn't say it's an alarming rate. It's
23 just that I'm not sure we can account for those waves.

24 VICE CHAIRMAN HART: Okay. Thank you. This question
25 relates to -- oh, I'm sorry. Dr. Taylor?

1 DR. TAYLOR: Yeah, just another comment. A lot of this
2 drinking has to do with taxation and availability, and so, for
3 example, if you look up Georgia Avenue where Howard Hospital is
4 there's a liquor outlet on every corner and sometimes two and
5 three and they're open at 8:00. As you know, the District tried
6 to increase their hours of bars opening to 4 a.m. That was
7 defeated by the city council. A lot of this had to do with
8 availability.

9 The other thing is certain ethnic groups tend to become
10 more dependent at a higher rate. So if you look at Native
11 Americans, Alaska natives, there are just some pockets where
12 you're going to see alcoholism that will be up into the 30 to 40
13 percent of the population that influences these 2.2 gallons. So
14 if you look at certain regions it will be way above the 2 gallons
15 and other regions will be far below it.

16 VICE CHAIRMAN HART: Thank you. That's very helpful.

17 My next question relates to what Chief Mahony was
18 presenting about point of sale. Do we have any sense of to what
19 extent do the impaired driving population or maybe the impaired
20 driver population involved in crashes, to what extent they became
21 impaired at a point of sale versus at home; do we have any sense
22 at all, any data, in that regard?

23 CHIEF MAHONY: On fatalities we do not. We do have
24 research on drunk driving arrests. It is over 50 percent. A
25 little over 50 percent of drunk driving arrests are coming from a

1 licensed establishment.

2 VICE CHAIRMAN HART: Okay. So does that tell us about
3 -- how instructive is that about -- I mean, I applaud your
4 programs for going to the point of sale. How instructive is that
5 about how effective those programs can be?

6 CHIEF MAHONY: Well, I think it's a large portion,
7 clearly over 50 percent. A large portion of impaired drivers are
8 coming from these establishments. What we have seen -- and, you
9 know, data is a big issue. It's a big problem for us because
10 usually when we get calls we're getting calls from police
11 departments or -- and in a couple of cases in Massachusetts,
12 emergency room nurses that were seeing skyrocketing blood alcohol
13 contents. So they contacted the state police; the state police
14 contacted us, and we've been able to dramatically reduce the
15 number of -- and, again, we don't have data on it, but
16 dramatically reduced what they're seeing on blood alcohol contents
17 by targeting the bars that we knew were the worst problems in that
18 district and that city.

19 So I think that -- what I would really, really like is
20 to have some professionals come in and do some data research on
21 exactly how we can show it, but I can tell you that in cities in
22 Massachusetts we will reduce the amount of police calls coming out
23 at 2:00 in the morning; we'll reduce the amount of assaults at
24 2:00 in the morning, and we're starting to see a reduction in the
25 drunk driving arrests that will -- we will start to see over the

1 next few months, but we see an immediate impact that is reported
2 to us by police departments. Our challenge is quantifying it for
3 boards like this, and I apologize we don't have more data, but,
4 you know, we just put together what we could.

5 VICE CHAIRMAN HART: Okay. Thank you. That's very
6 helpful. And thanks to the four of you for coming here to help us
7 with this very important problem. I appreciate it.

8 CHAIRMAN HERSMAN: Thank you. I'm going to follow up on
9 a couple of questions that have already been asked. One of the
10 things that has been troubling to me in this whole issue is that a
11 lot of people drink and a lot of people drive, and the combination
12 of the two actually is the problem, and I was trying to think if
13 we had actually changed behavior on this issue, you know, with any
14 segments.

15 And, of course, we talk about seatbelt use and different
16 things like that, but I was trying to think more about alcohol,
17 and what struck me was if we looked back to, you know, my mother,
18 our mothers' generations, there were pictures of pregnant women
19 standing around holding martini glasses and a cigarette. And what
20 we've really done in that generation, from our parents' generation
21 to now is pregnant women really don't drink. It's very frowned
22 upon. Society has taken a very strong attitude about it. I think
23 if anyone sees someone who's pregnant drinking there's likely to
24 be some intervention even if they don't know them, and I think
25 that the fetal alcohol syndrome and those issues have been so well

1 publicized I think people understand that.

2 And so when we look at the trends and we look at the
3 numbers I was wondering from the medical side if Dr. Saltz and
4 Dr. Taylor have any observations of any other effective campaigns,
5 because what we've really asked women to do is not to say don't
6 ever drink, but we said take a time out during this period and
7 don't drink while you're pregnant. And I think what we're trying
8 to ask the general population to do is say -- not to ask them
9 don't drink ever, but say don't drink and then get behind the
10 wheel, and so take a time out in this part of your life. And so
11 are there any lessons that we can translate there?

12 DR. TAYLOR: I'll start. First of all, the liquor lobby
13 in this country is very powerful, and over the last, I'd say, 10
14 or 15 years there's been sort of a moratorium on advertising
15 alcoholic beverages on television. There are a lot of rules that
16 the manufacturers have to comply with, but they're voluntary in
17 terms of how old the actor is in the play. They can't be actively
18 drinking. They have to look over 25 or some other age. But I've
19 noticed over the last several years, a couple of years, that
20 there's been a dramatic increase in the amount of advertising for
21 hard liquor to the point of actors simulating drinking and the
22 like and I think that has a dramatic impact on how people drink.

23 There's also well-known studies that looked at targeting
24 certain populations to drink. If you look at predominantly
25 magazines that are marketed to African-Americans, every other page

1 will be either a liquor, hard liquor, beer, wine or a cigarette
2 advertisement, and that influences the young people as they begin
3 to reach adulthood. And we do know that the development of
4 alcohol dependence really starts in teenagers, and by the time --
5 the vast age become dependent is somewhere around 20 years old.
6 If you can get past that, you can probably avoid alcohol
7 dependence. So a lot of it has to do with marketing and they're
8 very good at.

9 The other thing is that the resources that went into
10 these messages about fetal alcohol syndrome were primarily
11 government-sponsored messages, and with government budgets under
12 attack you're going to see less and less of that. So, again, it's
13 very complex, but I think there's more money out there than we
14 have to change the culture.

15 DR. SALTZ: I know we have short time, but we had a
16 tremendous success with underage drinking and driving through the
17 minimum drinking age law and enforcement of not only those laws,
18 but zero tolerance for kids and decoy operations and all the rest,
19 and so that is a significant achievement.

20 But your question about, you know, the kind of cultural
21 shift, oftentimes we achieve that through making the public aware
22 of the laws we have and, you know, credibly enforcing them and
23 then using a public education campaign.

24 And I forgot to include in my presentation a key thing I
25 think that's overlooked for RBS and that is the need for public

1 education, not just so the public is aware of the responsibilities
2 of the server and understands why they're being limited in their
3 consumption, but it also enhances the likelihood the server will
4 take that action if the server feels it's a legitimate thing, that
5 the customer won't criticize them when they take responsibility
6 and decide that the customer has reached a limit of some sort, not
7 the legal limit, but the number of drinks, whatever the house
8 policy is.

9 So public education, I think, becomes key and to have it
10 focused on professional servers is also something that enhances
11 the likelihood it will actually happen, and it's not just up to
12 the drinker themselves.

13 CHAIRMAN HERSMAN: Thank you. Can I ask my colleagues'
14 indulgence to ask one more question? I'm sorry. I have a lot of
15 questions for Mr. Mahony, too. It's just our time is limited.

16 Dr. Zaouk, can you please tell me what additional
17 approvals that you need from the industry or the government before
18 this equipment could become operational either in original
19 equipment in a car or as a retrofit?

20 DR. ZAOUK: So the system -- these are being designed as
21 original equipment in the vehicle, as optional equipment in the
22 vehicle. Just like today you get a blind impact -- a blind spot
23 detection system, it's a sensor that's going to go in the vehicle.

24 It's really more of a process and not approval. I think
25 we have to make sure that the technology itself works and works

1 well. We can't have anybody above .08 driving, but at the same
2 time we can't be inconveniencing the non-drinking or the person
3 that doesn't drink that gets in the car.

4 So it's a process that we have to go through from
5 meeting all the specifications that we have set, things like in
6 the vehicle you have to go through vibration testing, shock
7 testing. All these things take time. Similar to any device in
8 the vehicle, it's a long process, a long development process,
9 before you get it in the vehicle, before you are actually able to
10 purchase it as optional equipment.

11 What we're doing is trying to accelerate that process by
12 the funding that is provided and trying to push the limit of
13 technology to make sure that we can meet these specifications. So
14 I think, you know, it is the right course right now. We are on
15 the right course in terms of the process that we have and we just
16 have to continue at it.

17 CHAIRMAN HERSMAN: Okay. So you do or don't need any
18 additional federal, state or industry approvals? Do you have to
19 go through any committees, specifically?

20 DR. ZAOUK: It's mostly from a specification
21 perspective, so there's SAE standards, ISO standards that we
22 comply with, and once you do that it's up to the manufacturer to
23 put it inside the vehicle and implement it into their vehicle
24 platform.

25 CHAIRMAN HERSMAN: Okay. You can certify that you

1 comply with the standards --

2 DR. ZAOUK: Correct.

3 CHAIRMAN HERSMAN: -- as the manufacturer, but they have
4 to decide if they're going to put it in the vehicle?

5 DR. ZAOUK: Absolutely.

6 CHAIRMAN HERSMAN: Okay. Thank you. Thank you so much,
7 this panel. You all have actually such a broad swath of issues.
8 It was very interesting to hear your presentation, and we will
9 take a break and we will come back at 10:40. Thank you very much.

10 (Off the record.)

11 (On the record.)

12 CHAIRMAN HERSMAN: Welcome back. We'll now begin our
13 seventh panel for the panel. Mr. Filiatralut?

14 MR. FILIATRAULT: Thank you, Chairman Hersman. The next
15 panel, comprised of speakers from Australia, Canada and Europe,
16 will share how countries address substance-impaired driving.
17 Mr. Mircea Steriu from European Transport Safety Council will
18 begin with a discussion on the effectiveness of countermeasures
19 and recommendations for reducing substance-impaired driving in
20 Europe. Mr. Steriu.

21 MR. STERIU: Thank you, Mr. Filiatralut, thank you,
22 Chairman, for having me, and this will be a very good opportunity
23 to share the experiences from Europe and see if any of the
24 measures that we have taken over there can be translated and used
25 in the United States. So this is actually a very good opportunity

1 to me to show what we have been doing in Europe in terms of
2 reducing drunk driving.

3 CHAIRMAN HERSMAN: I think we just need to put up a
4 different presentation. Just one second.

5 MR. STERIU: Of course, yeah.

6 All right. Thank you. So I'm going to start you off
7 with what the European Transport Safety Council is doing in their
8 work on drunk driving. Then I'm going to show you our preliminary
9 results of a ranking of European Union member states in term of
10 combating drunk driving. Then I'm going to give you several
11 examples of interventions that have been undertaken in selected
12 member states. And, finally, I will wrap it up with a short piece
13 on trying to tackle drunk driving.

14 So the European Transport Safety Council is basically an
15 advocacy group with our mission statement being to reduce the
16 number of deaths and serious injuries occurring from transport in
17 Europe, and we were basically in the area of road safety and, of
18 course, drunk driving is a very important issue that we have to
19 tackle. So I'll just dive right into giving you a background on
20 what is happening in Europe in terms of drunk driving.

21 And I'm wondering why the slides don't come up right.
22 Just to ask, are you seeing my slides?

23 CHAIRMAN HERSMAN: No. I think -- Jenna, can you help,
24 and Nicholas can change the slides for him maybe. Just let them
25 know what slide you want to see come up and they can pull it up

1 for you.

2 MR. STERIU: I should be on the fifth slide. The title
3 should be Background to Drunk Driving in Europe. If you don't
4 mind, I can actually move forward --

5 CHAIRMAN HERSMAN: Sure.

6 MR. STERIU: -- so unless that's actually -- it's a
7 problem with you, we don't have to wait for this.

8 So in terms of how widespread the problem is, up to 2
9 percent of all of the kilometers which are being driven in EU are
10 driven with BAC concentration which is above the legal limit
11 within the particular member state. And for an estimate of size,
12 11 percent of the total number of road deaths which were recorded
13 in 2010, 31,000 of them were attributed by the governments
14 directly to drunk driving. However, there is a little bit of an
15 issue of underreporting and the European Commission has actually
16 estimated -- can I work through this? Okay. So the European
17 Commission has estimated that about 25 percent of all road deaths
18 are alcohol related in EU. So based on this number we estimated
19 that in 2010 some 6,500 deaths could have been prevented if
20 actually all the drivers had obeyed the legal BAC limits in their
21 own country.

22 However, as we have seen yesterday as well, there are
23 issues in terms of how drunk driving deaths are being reported and
24 attributed in each European Union member state, and there is quite
25 a range of variation. So in 2010, 7 of the 27 union member states

1 attributed less than 6 percent of their road deaths to drunk
2 driving, while at the better end we would say 5 countries
3 attributed more than 30 percent, so we know that the average is
4 somewhere in the middle of that.

5 Now, however, we have seen quite a marked progress in
6 reducing the number of deaths which are attributed to drunk
7 driving, and you'll see there the darker green line is the number
8 of deaths which were attributed to alcohol while the lighter green
9 line is all other deaths, so any other causes.

10 And basically between 2001 and 2010, which is our 10-
11 year reference period, we have seen a 53 percent reduction in the
12 number of deaths attributed to drunk driving, while for the
13 corresponding number of other road deaths we have seen a reduction
14 of only 47 percent. So that issue of plateauing that we have seen
15 here, we have done a little bit better progress in Europe in
16 reducing deaths which are attributed to drunk driving.

17 However, beyond this aggregate measure what we wanted to
18 do is have a meaningful country comparison and see which countries
19 are doing better or not, so we needed to create an indicator which
20 would capture this difference between member states.

21 So in building this indicator we had several aspects
22 that we needed to take into account and we know that general road
23 safety measures will have an effect on all the number of road
24 deaths, whether they are attributed to drunk driving or not.
25 However, if governments implement measures which are specifically

1 targeted at drunk driving they will have a faster effect in
2 reducing the number of deaths which are specifically attributed to
3 drunk driving. So basically in order to compare member states'
4 performance we chose to look at the difference between the average
5 annual percentage change in the deaths attributed to drunk driving
6 and those in other deaths.

7 And I will move right through to the lead table that we
8 have here. You will see that the countries in the green area mean
9 that they have done better in reducing deaths attributed to drunk
10 driving than other road deaths and we have our best performers.
11 They're Ireland, Slovakia, Latvia, Bulgaria and Hungary who had
12 done significantly better in reducing road deaths attributed to
13 drunk driving. While on other hand those in red mean that there
14 has been a slower progress in deaths attributed to drunk driving
15 than general road safety progress. However, for all of the EU,
16 you see there that the average is below 0, so on average the whole
17 of the EU has done just better in reducing deaths attributed to
18 drunk driving.

19 However, I also want to make it very clear that even in
20 those countries where general road safety improvements have been
21 more marked than those in reducing drunk driving deaths,
22 reductions have still been observed, so you will see that quite a
23 lot of the countries actually are below the zero line, so within
24 the 10 years that we looked at some progress has been made. It's
25 only the countries in red, particularly Italy, Cypress and Israel,

1 where we have seen an actual increase in the number of deaths
2 which are attributed to drunk driving. And this being the data
3 that we have, one of the solutions and interventions that was put
4 forward in this forum as well and it's very much within the ETSC
5 recommendations in order to tackle drunk driving is to have highly
6 visible enforcement.

7 Now, in terms of an indicator to see how countries are
8 doing in enforcing drunk driving laws, what we did was look at the
9 number of alcohol checks which are performed and then, of course,
10 weighed this number by population. So the way that you should be
11 looking at this ranking that we have here is that basically in the
12 best performing country, which is Finland, for an average driver
13 in 2010, the year that most of this data is for, there was about a
14 43 percent chance of being stopped at random and being checked for
15 drinking and driving. While, of course, you see there's also
16 quite a lot of variation and for the average British driver this
17 probability of being stopped and checked is only 1.4 percent.

18 However, we wanted to make sure that this picture is not
19 somewhat biased because due to limited resources some countries
20 might choose to actually target their enforcement checks and
21 perform them at several times of the year or in specific locations
22 where they are actually way more likely to have drivers who are
23 under the influence being checked. So you will see that the
24 percentage of those tests which are above the legal limit are in
25 the second column, and also we're tracking an indicator which is

1 looking simply at the number of positive checks for a 1,000
2 population. So just to be clear, positive means above the legal
3 limit, so some corrective action has to be taken against the
4 driver.

5 One of the other -- and now I'm going to talk a few
6 minutes about the interventions which were taken to tackle drunk
7 driving in several of the member states. To start you off I'll
8 put up this table which is showing the prevailing drunk driving
9 limits in the member states of the European Union. You will see
10 highlighted in red are the only two countries, being the U.K. and
11 Malta, where the BAC limit is still at 0.8. All of the others
12 have gone somewhere below that.

13 And also a trend which we have seen in Europe and it is
14 very encouraging is that many countries are choosing to
15 differentiate their BAC limits according to the type of driver, so
16 novice drivers and professionals actually have to have lower BAC
17 limits than what we would call regular drivers.

18 Now, I will talk to you about Ireland because this is
19 one of the examples where the BAC limit was reduced. This change
20 was codified into law in 2011, and it was quite a lengthy process.
21 I would say it took several years. But in order to achieve this
22 change in legislation, what they had to look at was approach drunk
23 driving as a public health concern. This ties into what we were
24 seeing in the last panel.

25 So up there on the slide you see several of the issues

1 in terms of driving in general that had to be taken into account
2 when devising the legislation in Ireland. And just to be -- to
3 give you an indication of the size, you see that 1.5 million
4 adults in Ireland drink in a harmful pattern while the whole
5 population is about 6 million, so just to give you an indication
6 of the size of consumption in Ireland in general.

7 And moving straight to the actual legislation, so the
8 lower BAC was introduced in July 2011 and, as I mentioned, this
9 was done in various steps for regular drivers and for learner
10 drivers. It was changed to 0.05 for regular drivers and 0.02 for
11 novice drivers, so people who are within the first 2 years of
12 having a license, and also for professional drivers.

13 Now, this legislation was not just put on a piece of
14 paper and it wasn't -- the change didn't come alone. It was
15 actually coupled with tougher sanctions, which you see there on
16 the board. And one of the issues that has to be taken into
17 account is that all of these sanctions are actually automatic, so
18 if you are within those -- if the BAC level is passed, the
19 sanctions are doled out automatically so you don't have to go to a
20 judge unless basically the BAC level observed is above .10.

21 And in terms of other interventions which have been
22 taken to tackle drunk driving, an important technological device
23 is the rollout of alcohol interlock devices. This has been done
24 largely throughout Europe and three times a year the European
25 Transport Safety Council is publishing what we call an Alcohol

1 Interlock Barometer where we track the logistical developments in
2 EU member states and see how the devices are being used, whether
3 in rehabilitation or also just in commercial transport. So we're
4 trying to get an accurate measurement of how they're being used.

5 And I will focus on the case of France, which introduced
6 them at the regional level first. The reason why I chose France
7 for this presentation is because it's the only country where
8 alcohol is the main factor in accidents; usually it is speed.

9 So basically the pilot project introduced in 2004
10 provided that offenders with a BAC between 0.8 and .16 had to use
11 one of these for -- or one of the devices for 6 months, and they
12 had to pay for the installation themselves. The fee was about
13 \$1,500. In terms of the effects of this device, you see there was
14 a four to five lower rate of recidivism among the drivers who had
15 this installed. And the project was expanded afterwards to other
16 regions and also it has been extended to buses carrying children
17 whether the driver was recidivist or not.

18 Moreover, as an educational measure and as a measure to
19 increase the awareness of the population to the dangers of
20 drinking and driving, starting in June 2012, French drivers must
21 have a portable breathalyzer in their car. And also every -- so
22 about three times a year we are tracking the developments in
23 general in tackling drunk driving in Europe and we publish a
24 newsletter, which I can provide to the docket with the recent
25 developments in the EU member states.

1 So in terms of their recommendation that we're proposing
2 to member states -- you will excuse me if I go just a little bit
3 over because of the start? Okay.

4 We considered that member states adopt a zero tolerance
5 to drunk driving in general, and we recommend that this is
6 complemented by tougher law enforcement, including actual targets
7 for the number of checks that would be -- that have to be
8 performed.

9 We also recommend that systematic breath testing is
10 included as a standard procedure in all collisions. And in order
11 to address recidivism we're looking at higher penalties and also
12 the introduction of rehabilitation programs, whether through the
13 alcohol interlocks or through educational courses, and, of course
14 all of these have to work hand-in-hand with awareness raising
15 campaigns which will raise the attention of the population to the
16 risks of driving.

17 Now I'm going to move very swiftly to drugs, and the
18 main project in Europe, which was looking at how drugs influence
19 driving behavior, was the DRUID project. The main finding was
20 that alcohol actually still remains the main problem in terms of
21 traffic safety. However, unfortunately, drugs and psychoactive
22 medicines can constitute a problem in traffic safety.

23 What was very interesting to show was that the target
24 group was different, and for psychoactive medicines the main
25 problem group that had to be looked at was older female drivers,

1 and in terms of the time of day when offenses are occurring it was
2 actually daytime hours. However, the prevalence of illicit drugs
3 in the driver population was shown to still be lower than alcohol
4 prevalence.

5 And in terms of the research, this is coming from one of
6 our members, because one of the issues always when thinking about
7 the influence of drugs is trying to figure out a way of knowing
8 which drugs are harmful and how do they actually impair driving,
9 so our Norwegian member had a research study commission where
10 basically there was correlation between all of the substances that
11 you see up there on the slide and the level of impairment which
12 they induce into drivers. Thank you very much.

13 MR. FILIATRAULT: Thank you, Mr. Steriu. Our second
14 presentation is from Professor Barry Watson from the Centre for
15 Accident Research and Road Safety in Queensland. Professor Watson
16 will discuss the prevalence, regulatory approaches and
17 countermeasures for reducing substance-impaired driving crashes in
18 Australia. Professor Watson?

19 DR. WATSON: Well, thank you and good morning. Firstly,
20 I'd like to thank the Board for the invitation to come along today
21 to provide you with an Australian perspective on the impaired
22 driving problem.

23 Next I'd like to give you a brief overview of what I'll
24 be covering today. To set the scene, I'll present some data
25 highlighting the prevalence of impaired driving in Australia.

1 I'll then explain the regulatory processes we have in place to
2 manage what we refer to as drink driving and drug driving as well
3 as the key countermeasures we have implemented over the years.

4 In particular, I'll focus on the general alcohol limit
5 of .05, which we have adopted across the country, as well as the
6 role and effectiveness of random breath testing, or RBT, which is
7 our main drink driving enforcement tool.

8 I'll then provide an overview of one of our newer
9 countermeasures, random drug testing. I'll finish with some brief
10 comments on the challenges still facing us in Australia and key
11 priorities for the future.

12 Okay. Well, to commence with alcohol-impaired driving
13 or what we call drink driving, this graph shows the long term
14 trend in the percentage of drivers and motorcycle riders killed in
15 Australia with a blood alcohol concentration of .05 or more where
16 the BAC is known.

17 As can be seen, Australia experienced a major decline in
18 alcohol-related fatalities during the 1980s and 1990s similar to
19 many other motorized countries around the world, including the
20 USA. However, this decline appears to have plateaued since the
21 late 1990s at around 25 to 30 percent, representing a major
22 challenge for road safety authorities in Australia.

23 Notwithstanding this plateauing, however, it is
24 important to note some key aspects about the Australian
25 experience. Firstly, various evaluations have indicated that the

1 reductions we have experienced were associated with the
2 introduction of key countermeasures like the lower BAC limit and
3 RBT, a point I will turn to later.

4 Secondly, Australia's performance would look even better
5 if we presented the data in terms of those killed with a BAC of
6 .08 or more to allow a comparison with countries like the U.S.
7 since this would reduce our figures by another 3 to 5 percentage
8 points depending on the year. Or, conversely, if the data was
9 presented in terms of .08, your data will be up around the mid-30
10 percents.

11 Finally, this aggregate level data obscures the fact
12 that some Australian states do perform better than others. To
13 illustrate this point, this graph presents the same data from our
14 home state of Queensland. As can be seen, the general pattern is
15 very similar to the whole of Australia with major reductions in
16 alcohol-related driver and rider fatalities occurring in the 1980s
17 and the 1990s followed by a plateauing. However, consistent with
18 the recent strong focus on the issue of drink driving, the
19 percentage of fatalities at .05 or more has been averaging down
20 around 25 percent over the last 3 years, which, again, would be
21 lower if measured at .08 or more.

22 I should also note that our two most heavily populated
23 states, Victoria and New South Wales, have had even lower
24 percentages in some recent years, getting down to the low 20
25 percents for those killed at .05 or more.

1 With this background I would now like to briefly explain
2 the evolution of drink driving countermeasures in Australia. I
3 should note that this list is not meant to be exhaustive and I've
4 kept the time frames relatively broad since these countermeasures
5 were implemented at different times across the states.

6 The foundation for our approach was in the late 1960s
7 and early 1970s when all the states adopted per se drink driving
8 laws based on the Scandinavian model. During the 1990s this
9 approach was strengthened through the lowering of the alcohol
10 limit to .05 and by introducing random breath testing and
11 mandatory penalties for drink driving, which meant that the vast
12 majority of drink drivers experienced some form of license
13 disqualification.

14 Then during the 1990s there were further refinements
15 with the introduction of a zero alcohol limit for learner,
16 provisional and professional drivers, which includes truck and
17 taxi drivers, and ongoing strengthening of penalties, including
18 the introduction of immediate license loss for high range
19 offenders.

20 While most states introduced some form of rehabilitation
21 for offenders during the period, it remains voluntary in many
22 states, thus limiting its uptake. Then over the last decade most
23 of the Australian states introduced alcohol ignition interlocks
24 and vehicle impoundment for high range and/or repeat offenders.

25 Now, to illustrate the impact of some of these

1 countermeasures I would like to present a case study from my home
2 state of Queensland. We commenced breath testing around the same
3 time as many of the other Australian states and then lowered the
4 alcohol limit to .05 in late 1982. However, we delayed
5 introducing RBT despite its widespread implementation in other
6 states due to the perceived civil liberty concerns on the part of
7 then state government.

8 Instead, they introduced a weaker form of breath testing
9 in 1986, which is referred to as Reduced Impaired Driving, or RID.
10 The program was similar to sobriety checkpoints currently
11 implemented in parts of the USA in that the police could randomly
12 pull over drivers, but could only breath-test those that they
13 suspected had been drinking.

14 Finally, after mounting pressure from the road safety
15 advocates and encouraging evaluations from other states, the state
16 government finally introduced RBT in 1988, which enabled the
17 police to pull over drivers at any time or place and request a
18 breath test. These changes were each supported by extensive
19 public education and the strengthening of penalties.

20 Now, to illustrate the effects of these initiatives,
21 this graph is drawn from a study that I conducted in the late
22 1990s. It used a method called regression discontinuity to
23 compare the time periods following the introduction of each of
24 these key countermeasures.

25 As can be seen, the introduction of .05 limit, RID and

1 RBT were all associated with step-wise reductions in the number of
2 alcohol-related driver and rider fatalities. All of each were
3 significant and consistent with other evaluations at the time.
4 Our data indicated that the introduction of .05 was associated
5 with a 12 percent decline in alcohol-related fatalities relative
6 to non-alcohol related ones, and the introduction of RBT with a
7 further 18 percent relative decline in fatalities.

8 Importantly then, the progressive implementation of
9 these countermeasures not only contributed to the overall decline
10 in drink driving fatalities in Queensland, but the implementation
11 of RBT appears to have produced benefits over and above the weaker
12 form of breath testing we had in place at the time which was akin
13 to sobriety checkpoints.

14 This leads me to tell you a little bit more about RBT
15 since it is our primary drink driving law enforcement tool used
16 throughout Australia. As I already mentioned, the legislation
17 underpinning RBT allows the police to pull over and breath test
18 drivers at any time irrespective of whether they suspect they have
19 been drinking or not.

20 The majority of RBT operations across Australia are
21 conducted in a highly visible stationary mode using either large
22 buses, colloquially known as "booze buses", or marked police cars.
23 While these operations are designed to catch drink drivers, the
24 key goal is to promote general deterrence through their highly
25 visible nature.

1 However, the police do have the power to conduct mobile
2 RBT as well, whereby a moving police vehicle can pull over drivers
3 at any time and request a breath test, which is very useful for
4 catching drivers trying to evade the stationary operations.

5 RBT is typically supported by mass media advertising
6 using messages such as 'anywhere, anytime' to reinforce the
7 general deterrent effect. It is also important to note that there
8 is very strong community support for RBT across the country with a
9 recent community attitude survey showing 98 percent approval
10 nationally for the countermeasure.

11 Now here's a photo of a booze bus RBT operation, and I
12 apologize for the old-fashioned nature of the photo, but it does
13 highlight that we've had RBT operating for some time. Now, as can
14 be seen, the booze bus is parked on the side of the road and
15 upwards of six police officers can conduct breath tests on drivers
16 passing by. Depending on the traffic volume the police may pull
17 over every driver that passes by or randomly select vehicles from
18 the traffic stream.

19 The testing process is relatively quick with drivers
20 only detained for a minute or two. However, if a driver fails the
21 preliminary breath test they are then required to undertake an
22 evidentiary breath test in the bus. If they fail that, they are
23 charged to appear in court and are required to leave their vehicle
24 on the side of the road and arrange alternative transport while
25 also being immediately suspended from driving for 24 hours.

1 This is a photo of a car-based RBT operation, which
2 essentially involves the same process, but those drivers who fail
3 the preliminary breath test are transported to a police station to
4 undertake the evidentiary breath test.

5 Now as an aside, drivers can refuse a breath test in
6 Australia, but if they do, they are then charged with the
7 equivalent of a high range drink driving offense.

8 Now, more generally, a range of evaluations have been
9 conducted across Australia confirming the effectiveness of RBT in
10 reducing alcohol-related crashes. However, the degree of
11 effectiveness does appear to be linked to the way it's implemented
12 with the best results obtained when it is conducted in an
13 intensive 'boots and all' fashion featuring high sustained levels
14 of testing and ongoing innovation designed to maintain its public
15 profile as a general deterrent.

16 It should also be noted that considerable resources are
17 devoted to RBT across Australia with many states conducting the
18 equivalent of one test per licensed driver every year, so in
19 Queensland this equates to over 3 million breath tests every year.
20 Not surprisingly then, the perceived risk of getting caught for
21 drink driving has been found to be higher than for other illegal
22 behaviors like speeding or not wearing a seatbelt.

23 Similarly, the penetration of RBT into the Australian
24 community is very high. As shown in this graph, approximately 75
25 percent of drivers surveyed nationally consistently report having

1 seen RBT in the last 6 months. More particularly, upwards of 30
2 percent of respondents report having actually been breath tested
3 in the last 6 months, which I think is possibly the highest rate
4 of testing of this type in the world.

5 Okay. I'd now like to turn to the topic of other drugs
6 and their impact on driver impairment. Now, like many other
7 countries, Australian road safety authorities are concerned about
8 the prevalence of drugged driving and its impact on crash risks.
9 While there is variation in international studies, a large
10 Australian study indicated that over a quarter of the motorists
11 killed over a 10-year period on our roads had drugs other than
12 alcohol in their system, the large majority of which were
13 considered to be impairing.

14 In terms of the prevalence of the behavior, a Victoria
15 roadside study found that 2.4 percent of drivers pulled over were
16 positive for cannabis or amphetamines, which was twice the
17 detection rate at the time for drink driving. More recently, a
18 Queensland roadside study found that 3.1 percent of drivers tested
19 had a drug in their system other than alcohol.

20 Now, in response to this problem Australia has been one
21 of the world leaders in the area of random drug testing, first
22 implemented in Victoria in 2003. Now our approach is modeled on
23 RBT and underpinned by per se legislation whereby it's an offense
24 for a driver to be detected with any concentration of a prescribed
25 illicit drug in their system or to refuse a test for it. The

1 drugs currently tested for at the roadside are cannabis or, more
2 particularly, THC, amphetamines and methamphetamines and MDA, or
3 ecstasy.

4 The roadside process builds on RBT, whereby the drug
5 test is only undertaken if a driver passes the preliminary breath
6 test because those who fail the preliminary breath test are
7 charged with drink driving anyway. So for those who do pass the
8 preliminary breath test an initial oral fluid drug test is
9 undertaken for screening purposes, which takes approximately 5
10 minutes. If the driver fails that test, they then undertake a
11 second oral fluid test in a specially equipped bus, which takes 15
12 minutes following a 20-minute observation time. While this
13 overall time frame is longer than that for RBT, it is a stringent
14 process designed to avoid misidentification and is further backed
15 up by subsequent laboratory tests on the oral fluid.

16 Okay. Now, unlike RBT which typically targets all
17 drivers, random drug testing tends to tie the two high risk
18 groups, truck drivers and young drivers, due to the higher
19 incidence of drug use among these drivers. Nonetheless, the
20 highly visible approach taken to the testing is still designed to
21 heighten its general deterrent effect.

22 However, a major limitation is the cost involved in
23 conducting the oral fluid tests compared to breath testing. As a
24 result, far fewer random drug tests are conducted each year across
25 Australia. For example, while 3 million breath tests are

1 performed each year in Queensland only 30,000 drug tests are
2 conducted. However, the detection rate for random drug testing
3 tends to be higher than for RBT, most likely due to the targeted
4 nature of the testing and also the higher prevalence of the
5 behavior.

6 So, for example, the detection rate for drug driving in
7 Queensland is currently 1 in 40 drivers, or 2½ percent, compared
8 to 1 in 120 drivers, or .8 percent, for drink driving. I should
9 also note that while few evaluations have been conducted to date
10 into the effectiveness of random drug testing there is some
11 evidence that it has increased the perceived risk of apprehension
12 among particular groups of drivers and the detection rates have
13 been declining particularly among truck drivers.

14 This photo shows the specially equipped or identified
15 bus for random drug testing in Queensland designed to enhance its
16 general deterrent effect, and this photo shows the police officer
17 requesting the first oral fluid sample as part of the drug testing
18 process.

19 Okay. Well, to wrap up, then, I'd like to note some of
20 the challenges for the future beginning with drink driving. As I
21 explained earlier, the involvement of alcohol in driver and rider
22 fatalities in Australia appears to have plateaued albeit at a
23 lower level than in the USA. Moreover, this plateauing may in
24 part be due to the countervailing influences of alcohol becoming
25 more generally available over the recent decades in Australia and

1 an increase in binge drinking particularly among young people.

2 I should also note that Australia does not utilize

3 fiscal policies to any large extent to manage alcohol use.

4 Lastly, the uptake of alcohol ignition interlocks and drink

5 driving rehabilitation programs remains relatively low in many

6 Australian states.

7 In terms of drug driving challenges, as I already

8 mentioned, the higher costs associated with conducting the random

9 drug tests make it difficult for us to replicate the 'boots and

10 all' approach achieved with RBT. Drug detection methods also need

11 to be made more sensitive to particular drugs in order to keep up

12 to date with changing drug use patterns in the community.

13 Furthermore, little attention is being given in

14 Australia to the influence of prescription drugs on driver

15 behavior, although our Centre has done some research looking into

16 the effectiveness of the current warning laws we use on our

17 prescription drugs, which do appear to be inferior to innovative

18 approaches being used elsewhere, particularly the traffic light

19 system being used in France, and I believe being picked up

20 elsewhere in Europe.

21 To conclude then, I would like to outline what I see as

22 the key priorities for reducing impaired driving in the future,

23 both in Australia and elsewhere. First, I think it is critical

24 that we continue to enhance policing programs to maximize their

25 general deterrent effect while ensuring that they are rigorous

1 enough to counter the evasion techniques of drivers.

2 Secondly, we need to acknowledge that some of the
3 drivers detected for drink driving are also impaired by other
4 drugs which significantly increases their crash risk and, thus, we
5 need to better identify and manage these particular drivers.

6 Thirdly, we need to improve the management of recidivist
7 drink drivers through the wider uptake of alcohol ignition
8 interlocks, vehicle impoundment and rehabilitation programs.

9 Similarly, we need to improve the management of recidivist drug
10 drivers, which is an issue untouched at the moment in Australia or
11 elsewhere.

12 Lastly, as has been mentioned by many other presenters
13 over the last 2 days, we need to plan for the long term and
14 develop reliable, non-intrusive alcohol ignition interlocks that
15 can be fitted into all vehicles.

16 In closing, I would like to acknowledge my colleagues at
17 CARRS-Q and the Queensland Police Service who assisted me with the
18 preparation of this presentation, particularly Drs. Jeremy Davey
19 and Narelle Haworth. Thank you.

20 MR. FILIATRAULT: Thank you, Professor Watson.

21 Mr. Martin from the British Columbia Ministry of Justice
22 is our final presenter. He'll provide an overview of Canadian
23 countermeasures for reducing impaired driving and discuss the
24 effectiveness of countermeasures that were implemented for
25 addressing alcohol-impaired driving in British Columbia.

1 Mr. Martin.

2 MR. MARTIN: Thank you. Madam Chairman, members of the
3 Board, good morning. I have to say I'm very honored to be here
4 among the very many distinguished presenters, and I have to say
5 Washington's one of my favorite cities, so it's great to pop in
6 for a couple of days.

7 A brief overview of --

8 CHAIRMAN HERSMAN: We don't have too many people saying
9 that Washington is their favorite city, so thank you.

10 MR. MARTIN: You're welcome. A brief bit of context, my
11 office is a division of the BC Ministry of Justice. We're the
12 lead provincial agency and champion for road safety. We're
13 responsible for policy and legislation, driver improvement
14 programs, administrative justice and driver medical fitness.
15 That context might be a bit useful for you as we progress through
16 the presentation.

17 The legal impaired driving framework in Canada is
18 divided into two levels. We have a federal law, that's the
19 Criminal Code of Canada impaired driving provisions, and, second,
20 we have provincial laws which are administrative impaired driving
21 laws.

22 Much of my presentation will be about provincial law
23 and, in particular, our BC laws, but I have to say at the outset
24 that provincial laws in Canada form a major part of the overall
25 countermeasures for impaired driving. If convicted of a Criminal

1 Code impaired driving offense, a citizen will receive a driving
2 prohibition, fine, and, in some instances, jail time.

3 Now I'm going to skip over the next couple of slides.

4 The issue of drugs and driving I think has been well represented
5 by other presenters and I don't really feel I have any value to
6 add here.

7 In terms of provincial and territorial impaired driving
8 laws, this is a detailed picture of provincial administrative
9 sanctions for drivers in the .05 to .08 range. The real takeaway
10 from this slide is that a number of provinces have escalating
11 sanctions for impaired driving in this zone.

12 Similar to the last slide, this slide presents a
13 snapshot of provincial sanctions in the over .08 range. Here
14 there is a wide variety of approaches and sanctions for both .05
15 to .08, and over .08 Provincial laws are constantly evolving.

16 So the context behind the BC approach which was
17 implemented in September 2010 can be summed up in this chart which
18 demonstrates that progress in addressing alcohol-impaired driving
19 essentially stalled in the year 2000, and this is not dissimilar
20 to your U.S. experiences as noted yesterday by Dr. Hedlund.

21 Prior to September 2010, our countermeasures were
22 limited to Criminal Code sanctions, administrative 90-day
23 prohibitions for over point .08, and in that instance the driver
24 had to be processed at the police station and there was a 21-day
25 delay before the prohibition took place. And, of course, we had

1 -- for .05 to .08 we had 24-hour roadside prohibitions and
2 possible vehicle impoundment.

3 We had a number of challenges. We had consistent high
4 levels of alcohol-impaired driving as measured by roadside
5 prevalence surveys. We've had poor outcomes, which I've just
6 mentioned, high recidivism rates and ineffective and inefficient
7 use of costly police resources, and we had significant pressure on
8 our court system.

9 In BC we were fortunate to have good longitudinal data
10 on the prevalence of alcohol-impaired driving. Since 1995 the
11 Canadian Center on Substance Abuse has conducted roadside
12 nighttime surveys and this involves randomly selected drivers who
13 are asked to voluntarily provide a breath sample. The results
14 show that between 2 and 3 percent of drivers had a BAC above .08
15 and between 3 and 5 percent had a BAC of over .05. And while
16 these percentage numbers may seem small, when expressed in terms
17 of the number of drivers, 1 out of 27 drivers was impaired by
18 alcohol, and I don't know about you, but driving home on a weekend
19 evening, that's not very good odds.

20 Recidivism for Criminal Code sanctions was over 20
21 percent, and for 24-hour roadside prohibitions it was over 30
22 percent. Criminal Code impaired cases took up over 30 percent of
23 total provincial court hours and these cases consumed 4 to 5 days
24 of police effort. And, as we know, criminal cases are complex and
25 often difficult for the Crown to prosecute. Over 30 percent of

1 charges are pled down to a lesser charge, which means appropriate
2 sanctions are not applied.

3 While our new approach was informed by evidence and the
4 challenges which I've spoken of, it was inspired by the tragedy of
5 4-year-old Alexa Middelaer who was run over and killed by an
6 impaired driver in 2008. She was standing at the side of the road
7 late one afternoon petting her favorite horse with her aunt by her
8 side. In implementing our new sanctions, the province adopted her
9 parents' bold vision that impaired driving fatalities be reduced
10 by 35 percent by what would have been Alexa's 10th birthday in the
11 fall of 2013. You will see in a few minutes that we've already
12 succeeded and surpassed this vision.

13 BC's new approach and the results that we've achieved is
14 really why I'm here today. What we did wasn't complicated. I
15 call it Behavioral Intervention 101. To be truly effective and
16 change behavior consequences must be clear, they must be swift and
17 they must be severe.

18 I'll now talk to you about what we did and then I'll
19 talk to you about how we did it, which in my mind is as equally
20 important. In what we call the Warn Range, .05 to .08, the new
21 law enables police to intervene immediately at the roadside with
22 tough escalating sanctions, prohibitions, vehicle impoundments and
23 monetary penalties. In addition, three sanctions inside of 5
24 years will trigger a driver into mandatory user-pay ignition
25 interlock and driver education or counseling.

1 In what we call the Fail Range, over .08, the new law
2 enables police to intervene immediately at the roadside with even
3 stronger sanctions, prohibitions, vehicle impoundments and
4 monetary penalties, a prohibition of 90 days, a vehicle
5 impoundment of 30 days and a monetary penalty of \$500. In
6 addition, every sanction automatically triggers a driver into
7 mandatory user-pay ignition interlock and driver education or
8 counseling.

9 When you're innovating the only thing that you can be
10 certain of getting 100 percent right on the first pass is the
11 status quo which, of course, by nature really isn't innovating,
12 so, predictably the new law was challenged on the basis that it
13 infringed on the Canadian Charter of Rights and Freedoms.

14 Given the significant penalties and remedial program
15 requirements for a driver who blows a fail, the court found that
16 the legislation in its current form infringes on the Charter to
17 the limited extent that the existing administrative appeal process
18 does not provide the driver with the ability to meaningfully
19 challenge the results of the roadside breath test. Simply put,
20 the court found our appeal grounds were too narrow.

21 Fortunately, the supreme court ruling was prescriptive
22 in nature in that it was specific about its concern. Because of
23 this, it was relatively straightforward for us to craft amended
24 legislation to specifically address the court's concerns. The
25 proposed changes are currently being debated in our provincial

1 legislature this week and we expect that an amended law will take
2 effect on June 15th of this year.

3 I'm going to skip over this slide and come back to it if
4 we have time.

5 Prior to the new sanctions, police generally had a low
6 sense of efficacy when it came to impaired driving. It was a lot
7 of work and our outcomes were not improving. One of the goals of
8 the new BC model was to make more efficient and effective use of
9 frontline police resources. Having a law is one thing. Having a
10 law that works effectively for police on the street is another.
11 Over my career I have seen many laws that are great in theory and
12 intention, but just don't work from an operational perspective.

13 Our new approach, especially for over .08, dramatically
14 reduces the time it takes for police to process drivers. This has
15 given police the ability to significantly screen more drivers for
16 possible impairment, effectively increasing overall enforcement
17 within existing resource levels.

18 As mentioned previously, the province adopted the goal
19 that impaired driving fatalities be reduced by 35 percent by the
20 year 2013. The green bars represent the 5 years prior to
21 implementation of our new approach and, taken together, the gray
22 bars represent a 35 percent reduction as compared to the average
23 over the previous 5-year period. The yellow bars represent our
24 actual progress to the end of 2011. Compared to both the prior 5
25 and 10 year averages, the new approach resulted in a 40 percent

1 reduction in its first full year of implementation. This directly
2 saved 45 lives.

3 It is our hope that with fail range sanctions back in
4 play on June 15th, by what would have been Alexa's 10th birthday
5 in the fall of 2013, we can reach a sustained level of a 50
6 percent reduction in alcohol and impaired driving fatalities.
7 Although we didn't specifically set a public goal related to
8 serious injuries, compared to both the prior 5 and 10-year
9 averages, the new approach resulted in a 51 percent reduction in
10 its first full year of implementation.

11 As I said earlier, the how we did things was equally as
12 important as the what we did. As you might expect, many
13 jurisdictions have inquired about our new approach and I've
14 cautioned everyone that just implementing what we did, the
15 specific basket of countermeasures is not likely to achieve the
16 same results unless you understood how we did it.

17 Impaired driving is complex. If the results were easy
18 to achieve we wouldn't be here this week. Incremental adjustments
19 to our approach were not working. Relying on the criminal system
20 and limited administrative sanctions was not working, so we set
21 out to change the game because if we continued to do what we've
22 always done we'd get essentially what we've always gotten which
23 was we were stuck.

24 To do this we spent considerable time understanding the
25 interrelationships between the various parts of the system, the

1 interests of all the partners and how we could actually expand our
2 value proposition beyond just safety. We did this at a time of
3 fiscal restraint and we were competing with jobs, health care and
4 education. It was a tough time.

5 By adding value for our funders, the court system,
6 police agencies, stakeholders, victims and our political masters,
7 we were able to create alignment around a vision and genuine
8 enthusiasm to a new way of approaching a very difficult problem.

9 Road safety approaches can be very fractured. There are
10 many, many players in the system and everybody's doing a great
11 job. Often they're doing a great job in their particular area.
12 So it was a systemic approach that we used to really bring about
13 the change and that's why I counsel others to really look at how
14 we did things, as much as the actual what we did.

15 I have a couple of seconds. Well, I'll just finish off
16 and I'll go back to that one slide if I can.

17 As I mentioned, in the first year we saw 45 fewer
18 fatalities. Our vision is your vision. It's towards zero. We
19 believe this absolutely possible. We think we can get to 50
20 percent by the end of 2013, and I think with some of the other
21 measures that you've heard over the last couple of days, I think
22 that we can drive that down to zero. Thank you.

23 Actually, I've got a minute to spare, so I'm just going
24 to zip back to a chart which just gives you an indication of the
25 dramatic impact that we had on court workload.

1 Immediately upon implementing the new law police began
2 using the new administrative sanctions for everything but
3 impairment that related to a crash, a serious fatality or absolute
4 gross impairment where somebody was falling down drunk, so you can
5 see that we had a dramatic decline.

6 We had a supreme court ruling last November, and so we
7 suspended our fail range sanctions. Predictably, the cases being
8 sent through the criminal process increased immediately, and with
9 the amended law we predict that we will be back to where we were
10 before November.

11 So I've got the amber light, so being a good road safety
12 professional I'm going to stop there not go through the
13 intersection.

14 MR. FILIATRAULT: Thank you, Mr. Martin. The Technical
15 Panel will now take 5 minutes to pose some questions to the panel.

16 MS. ROEBER: Thank you all. Yesterday we -- Jim Hedlund
17 made the point that we drink and we drive and it's the
18 intersection of the two that creates the challenge. We also heard
19 that impairment really begins with the first sip of alcohol and
20 that at point .05 to .09 you're talking 11 times greater crash
21 risk. Given that you are from countries that take action as low
22 as .05, if not lower, I'm curious to know what effect that has on
23 consumption?

24 DR. WATSON: The data for -- I must admit I'm not fully
25 familiar with the alcohol consumption data in Australia, but the

1 general pattern, I understand, was very similar to what Dr. Saltz
2 explained for the U.S. earlier today, that during the 1980s we had
3 a declining consumption. A lot of that is attributed to the
4 impact of our drink driving enforcement, and I can -- just as an
5 example I'll give you a cultural example. It was very popular in
6 Australian society to have a shout when you went to what we called
7 the pub, the drinking venue, where it was common that you'd be in
8 a group of people and that one person would buy a drink and then
9 you couldn't leave until everyone else in that group had bought a
10 drink, and that everyone had then consumed as many drinks as there
11 was within that group.

12 After the introduction of RBT the survey evidence
13 suggested that it was a lot easier for people then to say no, that
14 they didn't want to drink as much, because they had a reasonable
15 excuse being that they might get caught. And, in fact, I've seen
16 a paper at a conference that was called The RBT and the Death of
17 the Australian Shout.

18 So culturally is has caused an impact on our drinking,
19 I'd say more on the patterns of drinking with a lot more drinking
20 occurring at home now than in venues. Having said that, I've been
21 advised by my colleagues that over recent years alcohol
22 consumption is going back up a bit, similar to what Dr. Saltz said
23 about the United States. So I think definitely our drinking
24 patterns have been influenced by our lower limit and the
25 enforcement of it, but to some degree there's probably been a

1 shift of where that alcohol consumption's occurring.

2 MR. STERIU: Yeah. Once again, I'm also not
3 particularly familiar with drinking habits throughout Europe. I
4 did show you the example from Ireland and I think that would be
5 fairly comparable. However, what I can say is actually that the
6 data that I presented to you was collected and analyzed in the
7 framework of a project which is sponsored partly by a drinks
8 company, and in Europe they have been fairly positive, actually
9 very positive, to the designated driver campaigns which were being
10 implemented. So I would say the focus of the driving has changed,
11 but in terms of consumption it hasn't really been affected.
12 Otherwise, the alcohol companies would have been against it.

13 MR. MARTIN: And I'll give you a quick snapshot. We
14 track alcohol consumption quite closely in British Columbia. Of
15 course, all liquor sales are run through the provincial wholesale
16 network. And I would have to agree with Barry, is that, you know,
17 we've seen a slight shift in terms of patterns of consumption. I
18 wouldn't say we've seen a dramatic shift in consumption.

19 MR. FILIATRAULT: Thank you. We heard yesterday about a
20 No Refusal program or by somebody who was arrested, their refusal
21 to provide a sample to be tested. I wonder if each one of you
22 could kind of -- you talked on it, Professor Watson, briefly, but
23 I wonder if each one of you could talk about what would happen in
24 your particular country or in European nation countries, what
25 would happen if said driver refused to provide a sample? Starting

1 with you, Mr. Martin.

2 MR. MARTIN: A refusal, unless there's a specific
3 medical reason why you can't provide a breath sample, is treated
4 the same as a fail, so it gets the same consequences as a fail
5 range sanction.

6 DR. WATSON: Similarly, as I mentioned in my
7 presentation, for both drink driving and drug driving, if a driver
8 refuses to provide either a breath or oral fluid sample it's going
9 to be the equivalent of a high range offense. However, there is
10 also a provision for blood to be taken if there's a genuine reason
11 why they can't provide the breath or the oral fluid sample, so
12 there is some flexibility - it's not so draconian in some senses,
13 but certainly a refusal is going to be the equivalent of a high
14 range offense, and unless you are a high range offender you'd be
15 better off providing the sample.

16 MR. STERIU: In fact, that's exactly the same approach
17 that we have in Europe and most of the countries; actually
18 providing a sample is a better defense than refusal to do so.

19 MR. FILIATRAULT: Thank you. I see we're out of time,
20 so, Chairman Hersman, I'll pass the panel on to the Board for
21 further questions.

22 CHAIRMAN HERSMAN: Thank you. Member Weener?

23 MEMBER WEENER: Thank you. A question for Mr. Steriu.
24 What are generally the drinking ages in Europe, in the EU?

25 MR. STERIU: For spirits it's 18. For beer and wine,

1 16.

2 MEMBER WEEFER: Okay. Beer and wine, 16; spirits, 18.

3 Just a note with regard to the Ireland example that you had that
4 was 11.9 liters per year. When you convert that into U.S.
5 gallons, that's 2.6 gallons, so that's just a little bit more than
6 what the previous panelist said was the amount for the typical
7 U.S. I find that interesting.

8 Is France worse than Ireland, for example, in terms of
9 consumption?

10 MR. STERIU: They have a different consumption pattern,
11 namely, that they will drink more wine rather than hard spirits or
12 beer, but in terms of the pure alcohol they are a little bit
13 lower, yes.

14 MEMBER WEEFER: They're a little bit lower.

15 MR. STERIU: Yeah.

16 MEMBER WEEFER: And I find it interesting that France as
17 of June is requiring a breathalyzer in all vehicles. What are the
18 requirements for use of the breathalyzer?

19 MR. STERIU: If you are being stopped in a random breath
20 test and you're are being -- the breath test shows up as positive,
21 the policeman is going to ask why you didn't use that and you're
22 going to get a fine for it. Moreover, if you're being stopped for
23 whatever reason you're also going to be fined if you don't have
24 it. So just for a random check of the car, in general if the
25 portable breathalyzer is not there or is not in a functioning

1 state there's going to be a fine for that, so it's basically
2 treated as the safety kit.

3 MEMBER WEEFER: Now, what is the cutoff level for the
4 BAC in the various parts of Europe? Is there a uniform standard
5 in Europe or does that vary by country?

6 MR. STERIU: In terms of the legal limit?

7 MEMBER WEEFER: The legal limit, yes.

8 MR. STERIU: It does change. If you can pull up my
9 slide actually, the one just before Ireland. We are seeing
10 several countries which simply have a zero BAC limit and -- I
11 don't know if it's for cultural reasons or just general road
12 safety reasons, mostly central European countries have a 0.0
13 limit, whereas western and northern Europe look mostly at 0.5.

14 MEMBER WEEFER: I'm sorry. Was that 0.5?

15 MR. STERIU: Yes.

16 MEMBER WEEFER: I can't read the chart, so --

17 MR. STERIU: 0.5, yes, sir.

18 MEMBER WEEFER: 0.5?

19 MR. STERIU: Yeah.

20 MEMBER WEEFER: Okay. Thank you. A question for
21 Professor Watson. We heard yesterday that the random roadside
22 tests often end up kind of clogging up the system. One example
23 was you have seven officers and by the time you've found three
24 with over the limit you've basically got to shut down and move on.
25 But you've got a process in place for basically processing them on

1 site, is that correct?

2 DR. WATSON: That's right. It does depend across the
3 states. Some states use those, what we call booze buses more
4 commonly and they tend to be used more in the major urban cities.
5 But that's the design and the purpose of them, so that if a driver
6 is detected, rather than effectively needing to close down that
7 particular operation, they are processed in the booze bus where
8 the evidentiary test is undertaken, and then in effect, no one
9 then has to come off the line who's doing the testing, so that's
10 the real strength of that approach. But it is quite resource
11 intensive, of course, so what does happen more often in a lot of
12 states is that a lot of the RBT tests are done by cars.

13 Now depending on what the detection rate is at the time
14 what can happen is that if they catch -- say if it's two or three
15 officers doing that, if they catch a lot of drivers in quick
16 succession effectively it does shut down the operation because
17 they then have to transport them to the police station to
18 undertake the evidentiary test.

19 I guess the good news, though, is that the hit rate or
20 the detection rate for drink driving in Australia is typically
21 under 1 percent. Depending on where and how it's done, between
22 1/2 a percent and 1 percent, which means that they can still --
23 before they actually typically detect a driver they've done a lot
24 of breath tests. But it is a resourcing issue that needs to be
25 balanced by each jurisdiction as to how much breath testing they

1 do via the big booze buses versus car-based operations.

2 I should also note that there are in some jurisdictions
3 they even, though, used -- for example, used what we call station
4 wagons or bigger, larger vehicles, but not like a bus, where
5 they'll have the evidentiary device in the back. So there are
6 kind of hybrid models where you can have not necessarily the big
7 bus but a smaller bus or even a station wagon vehicle equipped
8 with the evidentiary device to enable the testing and the --

9 MEMBER WEEENER: Very good. Thank you.

10 CHAIRMAN HERMAN: Member Sumwalt?

11 MEMBER SUMWALT: Thank you. Mr. Steriu, across Western
12 Europe or the European Commonwealth, basically, what is the rate
13 of all traffic fatalities, impaired driving consists of what
14 percentage roughly? Is it about comparable to what we're seeing
15 here in the United States?

16 MR. STERIU: As I said, it's somewhere around -- it's
17 estimated to be somewhere around 25 percent of all the deaths.
18 However, if you look at the actual attributes that are reported by
19 the police which are attributed to alcohol, those only stand at 11
20 percent. But the estimate for the number of deaths in which
21 alcohol was involved as a cause, whether primary or secondary,
22 it's about 25 percent.

23 MEMBER SUMWALT: Okay. Thank you. I am interested in
24 the breathalyzers that France is requiring to be in the cars.
25 Basically I worry about the unintended consequences of that, that

1 basically you can have somebody who says well, the legal limit is
2 -- what is the legal limit in France, .08?

3 MR. STERIU: Five.

4 MEMBER SUMWALT: .05. So somebody could sit there and
5 say well, I'm only at .04, so I think I can have another glass of
6 wine or something, and -- but this is a policy decision that the
7 French government decided, but I do worry about that. As we
8 learned yesterday, and I think this is a huge takeaway for me, is
9 that any level of alcohol is impairing and we don't -- it's not
10 just a 0 or a 1, that all of a sudden once we reach that per se
11 limit then we're impaired, so I do worry about the intended
12 consequences of this.

13 The calibration of these devices, I assume that they're
14 not horribly expensive. They are expensive or they're not?

15 MR. STERIU: The portable ones are actually not
16 expensive at all. They're kind of the same type that the police
17 is using, so they're not particularly expensive.

18 In answer to your concern, well, of course, you will
19 have people who are going to try to bend the rules, but if you do
20 get caught, one glass of wine is going to add just a touch more
21 than a 0.1, which is the difference, so --

22 MEMBER SUMWALT: Yeah. You know, I've heard this
23 argument because you can go to a drug store here or a pharmacy and
24 buy one of these kits and I've heard that argument, that there's a
25 downside to it, the calibration isn't necessarily what it --

1 accurate and things like that. It might give a false sense of
2 security there. So I was just curious about the unintended
3 consequences of having a device where you could blow into it to
4 see, well, I'm okay where in reality you're not okay. But thank
5 you.

6 Mr. Watson, I think I missed this. Is the RBT used
7 throughout Australia or just in Queensland?

8 DR. WATSON: RBT is used through Australia. It was
9 progressively implemented from about the early 1980s. In fact,
10 Queensland was one of the last states to implement it.

11 MEMBER SUMWALT: I see. Thank you. One of the slides
12 said that 98 percent of the citizens supported the RBT or
13 something along those lines. Do you recall what the sample size
14 was of the surveys?

15 DR. WATSON: Yeah. They're telephone surveys from a
16 memory of around 1,500. Having said that, though, the same has
17 been shown in other studies as well. In fact, there's another
18 study where we administered a questionnaire to people in drinking
19 venues, and even people who admitted to drinking and driving still
20 supported random breath testing. I suspect their thinking was
21 that they were fine after drinking, but RBT is a good thing to
22 have for everyone else.

23 MEMBER SUMWALT: Yes. We see the same thing when it
24 deals with distracted driving. People will say that they strongly
25 do not think you should text and drive and yet they do it

1 themselves, so we see the same sort of behavior. Madam Chairman,
2 I have no questions -- no further questions.

3 CHAIRMAN HERSMAN: Member Rosekind?

4 MEMBER ROSEKIND: Can you each give us a sense for some
5 of the very effective things that you've been talking about, what
6 time course was just to get them implemented and then how long
7 before you started seeing some of the significant changes you've
8 showed us?

9 MR. MARTIN: We actually started to see a significant
10 change overnight. We track fatalities on a monthly basis. It's
11 delayed by 2 months. And the first few months we saw a 50 percent
12 reduction in fatalities compared to similar periods prior to
13 implementation. And we've seen that just decrease slightly over
14 the year, but it's now at a sustained level of over 40 percent.
15 Despite the fail range sanctions being put on pause temporarily
16 we're still tracking about 40 percent.

17 DR. WATSON: In the case of random breath testing the
18 results were so immediate that, in fact, they hit before it was
19 actually implemented, and that was attributed to the fact that
20 there was a lot of publicity and controversy about the move. And
21 I know particularly that the state that did it in the most 'boots
22 and all' kind of fashion, which was new South Wales, that the
23 evaluations do indicate that it was introduced in December, around
24 Christmas time, and that the alcohol-related crashes were starting
25 to decline in the month before that, so it did have an immediate

1 impact, but it was a very specific measure. And I'd argue that it
2 took a while to get to random breath testing and, in fact, in the
3 case of the different states the ground was kind of laid by those
4 states that went before.

5 So in the case of, for example, Queensland we came right
6 at the end of the process, but we were able to implement mobile
7 RBT right from the beginning because there had been a debate in
8 the other states that at first it was only allowed to be in
9 stationary mode, but then they did an evaluation of -- so it was
10 originally stationary. Then they did an evaluation of the mobile
11 mode. So by the time we able to implement it we could go straight
12 to the mobile as well as the stationary right at the beginning.

13 MR. STERIU: In terms of the alcohol interlock project
14 in France, it was a project which lasted for 4 years and it was
15 tracked throughout -- it was tracked at the end of the project
16 basically. The interlock device was offered as an optional, and
17 the sample of the drivers who did choose it was compared to the, I
18 would say, control group of those who didn't.

19 MEMBER ROSEKIND: Can you say anything from your
20 experience about any data needs that you see out there? You know,
21 one of the challenges we've had here that was discussed yesterday
22 is you find alcohol, you don't go for the drugs, for example, so
23 given that as an emerging problem and generally estimates about,
24 you know, what the percentages are can you just say anything about
25 where the data needs are still to understand the issue and

1 effectiveness of what's going on?

2 DR. WATSON: Well, certainly in the Australia
3 perspective the variation in the testing for alcohol among
4 fatalities does vary a bit across the states and, of course, can
5 be better, but certainly in the case of injuries, not everyone
6 who's injured in the crash will be tested.

7 The big one that you've already mentioned is that at the
8 moment in our random stopping programs for drug driving, if the --
9 it's only the drivers who passed the breath test that then we do
10 the drug test on, and that's as much a resourcing issue and also a
11 decision based on the fact that they've already been caught for
12 drink driving.

13 However, what it means is that there's a group of
14 offenders who aren't being identified who've got both alcohol and
15 drugs in their system, and we know from various studies that
16 they're the group that probably have the highest crash risks on
17 the road and, arguably, we need to identify better, particularly
18 if we want to in the future have better sanctions or
19 rehabilitation programs for those particular offenders.

20 MR. MARTIN: In our jurisdiction we know that over time
21 30 to 40 percent of fatally injured drivers tested positive for
22 drugs. One of the key pieces of business intelligence that we
23 don't actually have, and this is very important, is that we need
24 to examine the role that the presence of drugs actually played in
25 the crash. You know, we don't have that hard evidence yet, and to

1 be able to formulate legislative responses and recommendations we
2 need -- we just need that further intelligence.

3 MR. STERIU: For Europe, one of the biggest issues that
4 we have is creating a common reporting procedure and common
5 definitions, first of all, of what is an alcohol-impaired death
6 within all the member states so that we can compare actually the
7 numbers rather than the percentage decreases for each member
8 state.

9 MEMBER ROSEKIND: All right. Thank you.

10 CHAIRMAN HERSLER: Vice Chairman?

11 VICE CHAIRMAN HART: Thank you. I heard in one of the
12 presentations and, unfortunately, I noticed that I didn't write
13 which presentation I heard it in, but there was a reference to
14 mandatory sanctions, and then the further reference was and it
15 didn't go through a judge. Who was it that -- was that you,
16 Mr. Martin?

17 MR. MARTIN: That would be British Columbia.

18 VICE CHAIRMAN HART: Okay. Could you explain how that
19 works when you say mandatory, i.e., not -- it sounds like no --
20 there's no court involvement in the process.

21 MR. MARTIN: Yes. Our approach was on the basis of a
22 provincial law as opposed to utilizing Federal Criminal Code
23 sanctions. We have had the ability to put in place administrative
24 sanctions for decades. What we've done is that -- we've really
25 just started to use them in a much different and more pervasive

1 way. The courts have consistently found in terms of provincial
2 law that -- driving is a privilege, it's not a right, and with
3 that privilege driving is seen as a heavily regulated area and
4 within provincial jurisdiction, in the context of protecting
5 public safety and regulating drivers we've been able to do that in
6 an administrative process.

7 VICE CHAIRMAN HART: So does that literally mean that on
8 the spot the person who is above the legal limit loses their
9 license right there and has to find another way home or how does
10 that work?

11 MR. MARTIN: I think the enforcement are kind enough to
12 call a taxi or often that person will get a ride with the tow
13 truck driver who's towing their car and impounding it for 30 days.

14 VICE CHAIRMAN HART: And is there any possibility to
15 challenge that procedure after the fact or how does that work?

16 MR. MARTIN: Yes. We have a well-established
17 administrative judicial process where independent adjudicators
18 conduct hearings and people appeal. We have an appeal rate of
19 about 10 percent and about 16 percent of those are found in favor
20 of the driver, so it is a very comprehensive process and well
21 recognized in law and tested through the supreme court of Canada.

22 VICE CHAIRMAN HART: And how many provinces have it?

23 MR. MARTIN: Most provinces have that.

24 VICE CHAIRMAN HART: Thank you. That's very helpful.

25 Dr. Watson, I'd like to ask you about what you said

1 about Australia mentioning -- one of your slides said Australia
2 does not use fiscal policies to any large extent to manage alcohol
3 use. Does that mean taxes on the purchase and -- fiscal policies
4 like -- is that what you meant by fiscal policies?

5 DR. WATSON: Yes. We do -- there is some differential
6 taxation based on alcohol, so our low alcohol beer is cheaper than
7 the full strength beer. But when I compare it to how I think
8 other jurisdictions around the world use taxation policies I think
9 we could be more aggressive in that area.

10 VICE CHAIRMAN HART: Okay. Thank you. And one last
11 question for any of you who were here yesterday and heard the
12 whole presentation and have heard what we have in the U.S. versus
13 what you have, similarities, differences, I'd be interested if you
14 have any thoughts or suggestions on what we can do here in the
15 U.S. to help get us off this plateau that we've everybody talk
16 about. Yes, please.

17 MR. STERIU: If you don't mind, I would look at the
18 administrative sanction system which in one form or another we
19 have all mentioned, and this would definitely increase the
20 swiftness of the punishment that can happen. I mean, frankly, for
21 me, if you have the option of going to court and only losing your
22 license 6 months after you were caught drinking and driving that's
23 not swift enough for me.

24 DR. WATSON: I'd like to emphasize two things in
25 particular. First of all, the move to a lower BAC limit, so

1 evaluations in Australia, and I know from elsewhere around the
2 world, do show benefits of going from .08 to .05. Secondly, I
3 realize that there are constitutional barriers or perceived
4 barriers in the United States to move towards random breath
5 testing, but our results in Queensland certainly show that when we
6 went from a random stopping program, which looked like your
7 sobriety checkpoints to random breath testing, we got a further
8 improvement. And I guess it comes down to whether -- in light of
9 more recent arguments whether there is that impediment to the
10 random breath testing in the USA.

11 MR. MARTIN: And from my perspective pay close attention
12 to behavioral intervention and the immediacy of the sanctions and
13 the severity of the sanctions. Vehicle impoundment has proved
14 effective people hate losing their stuff. People also don't like
15 to be inconvenienced. They don't like their car towed away and
16 have to find another mode of transportation, so I would pay
17 attention to that. I'd kick the tires on random breath testing,
18 and I'm also very encouraged by a previous presenter on the future
19 of in-car alcohol detection systems. I think humankind has proven
20 to be rather frail, and I think that we can only change behavior
21 to a certain point and then we're going to have to look to
22 technology to take us that step further.

23 VICE CHAIRMAN HART: Okay. Thank you. That's very
24 helpful. And thanks to all of you for coming such great
25 distances, especially in the case of Australia, to be here to help

1 us. Thank you.

2 CHAIRMAN HERSMAN: Thank you. We're running a little
3 bit behind and I think I'll let you all close on those comments
4 that you gave to the closing chairman from -- questions from the
5 Vice Chairman. I think that was very helpful, to give us a bird's
6 eye view of what's being done around the world.

7 Thank you so much for traveling to be with us, and we
8 have your presentations and I think they'll be very helpful to us.
9 I do think there are some differences. I did -- and the question
10 about when can people drink in Europe, and I did spend 6 years
11 growing up in Europe from when I was 11 to 17 and, yes, we may
12 have been able to drink, but no one in my high school could drive,
13 so the driving ages are little bit later and the barriers to
14 getting a driver's license are much more significant in Europe.

15 I want to thank all of you all for being here, and I
16 thank our staff for putting together such a great panel and
17 getting you all to come and share your experiences. We certainly
18 know that there are many successful paths to trying to address
19 this issue and we appreciate learning from your experiences.

20 We're going to break for lunch and we are going to be
21 changing the seating arrangements in the room because we have
22 quite a big group this afternoon, and so once we leave our staff
23 will be doing that, but I want to make sure that you are aware of
24 a couple of special events planned for today.

25 We won't be coming back in until 1:15, but we have

1 actually some outdoor events today planned on the plaza. The D.C.
2 Metropolitan Police will be here, and also Volvo will be here and
3 they've got some hands-on demonstrations with some technology to
4 address substance-impaired driving. Volvo's going to show their
5 Alcoguard and the D.C. Metro Police will let you take a close look
6 at one of their breath alcohol testing or BAT mobiles.

7 In addition, police officers can demonstrate some of the
8 procedures that we've heard about in the past couple of days, like
9 a standardized field sobriety test and a DRE exam. So if you
10 think that you can pass, I encourage you to go up and take some of
11 those tests during lunch.

12 Our NTSB staff are going to be stationed outside the
13 conference room and upstairs to show you where to go. When we
14 resume after lunch we have a special guest speaker, the Honorable
15 Gil Kerlikowske, who is the director of the Office of National
16 Drug Control Policy, also known as the White House drug czar. We
17 will begin at 1:15 and he will start off the afternoon session by
18 talking about his goals regarding drugged driving. We stand
19 adjourned until 1:15.

20 (Whereupon, a lunch recess was taken.)

21

22

23

24

25

1

A F T E R N O O N S E S S I O N

2

(1:15 p.m.)

3 CHAIRMAN HERSMAN: Welcome back. We are privileged to
4 start our afternoon session with remarks from the Office of
5 National Drug Control Policy Director Gil Kerlikowske. The ONDCP
6 advises the President on drug control issues, coordinates drug
7 control activities and related funding across the federal
8 government and produces the annual national drug control strategy
9 which outlines the administration's efforts to reduce illicit drug
10 use, manufacturing and trafficking, drug-related crime and
11 violence and drug-related health consequences.

12 In 2010, President Obama's inaugural National Drug
13 Control Policy included the first ever goal to reduce the
14 prevalence of drugged driving by 10 percent. Before taking the
15 lead at ONDCP, Director Kerlikowske had a long and distinguished
16 career in law enforcement. He most recently served 9 years in the
17 Seattle Police Department in Seattle, Washington and when he left
18 crime was at its lowest point in 40 years.

19 Previously he was deputy director for the U.S.
20 Department of Justice, Office of Community-Oriented Policing
21 Services, where he was responsible for over \$6 billion in federal
22 assets. Director Kerlikowske was also a police commissioner in
23 Buffalo, New York, and the majority of his law enforcement career
24 was spent in Florida where he served in the St. Petersburg Police
25 Department.

1 Director Kerlikowske was elected twice to be president
2 of the Major Cities Police Chiefs, which is comprised of the
3 largest city and county law enforcement agencies in the United
4 States and Canada. And he was also elected president of the
5 Police Executive Research Forum. He has received numerous awards
6 and recognition for his leadership, innovation and community
7 service, most notably the American Medical Association's
8 Dr. Nathan Davis Award for outstanding government service in 2011.

9 In addition to all of these activities, he also served
10 in the U.S. Army where he was awarded the Presidential Service
11 Badge. We're honored to have Director Kerlikowske with us today.
12 Gil.

13 MR. KERLIKOWSKE: Well, thank you, Chairman Hersman. I
14 can't tell you how delighted I am and absolutely honored I am to
15 appear in front of you and to discuss this issue of drug driving,
16 and how very appreciative I am also of the interest that you all
17 have shown in this particular issue.

18 You know, the country has received -- has been the
19 beneficiary of significant reductions in alcohol-impaired or drunk
20 driving for a whole host of reasons and, having almost 40 years in
21 law enforcement, I think it's truly as a result of the
22 partnerships and the collaboration, the leadership that has been
23 developed by community organizations, particularly Mothers Against
24 Drunk Driving, but also really forward leaning legislators, law
25 enforcement personnel, et cetera.

1 And, believe me, I am well aware and understand fully
2 that we have a lot more work to do in that particular area, but I
3 think that analogy is particularly appropriate to the issue that I
4 want to talk about this afternoon. We're familiar with the
5 incredible consequences and the toll that alcohol-impaired driving
6 and driving with the different distractions has taken in lives
7 lost, in injuries, in insurance rates and on and on.

8 We have been working closely with the Department of
9 Transportation, and specifically NHTSA, along with other federal
10 partners, and our office, ONDCP is really a very small office, but
11 I think what we pride ourselves on is developing those
12 collaborations, bringing people to the table, and then working on
13 these issues together in a way that -- we're not particularly
14 interested in who gets the credit and how the turf issues are all
15 aligned. We're actually interested in seeing how the work gets
16 done and how we can make some accomplishments.

17 In 2010 we saw the results of the first ever analysis of
18 drug-related data from NHTSA in the FARS data, the Fatality
19 Analysis Reporting System, where the nationwide census shows that
20 one in three drivers killed in a motor vehicle crash in 2009 who
21 were tested with a known drug tested positive for an illegal drug.
22 Further analysis of the FARS data suggests younger drivers are
23 especially affected by the dangers of drug driving. Drivers under
24 35 represent 46 percent of all drug involved fatally injured
25 drivers while they represent only 40 percent of all fatally

1 injured drivers.

2 And as I discuss the data I know that all of you are
3 well aware of not only the lags in the data, but also the
4 deficiencies, and we, along with our other federal partners, could
5 not be more committed to improving the system so that we can
6 advise on policy so that people can look at legislation based upon
7 the most timely and the best available information.

8 Cannabinoids were reported in almost half of the fatally
9 injured drivers aged 24 or younger who tested positive for drugs.
10 And from the 2011 Monitoring the Future study -- this is a study,
11 by the way, a survey that's been done by Professor Lloyd Johnson
12 for well over 30 years surveying eighth, tenth and twelfth graders
13 about drug use. Monitoring the Future, we know one in eight high
14 school seniors reported that in the 2 weeks prior to taking that
15 survey interview that they had driven after smoking marijuana.
16 More then reported driving after consuming alcohol.

17 And it's clear that drugs have an adverse affect, as you
18 well know, on judgment, motor skills, reaction time, all the
19 necessities that are so critical to driving responsibly and for
20 driving safely, and we need to apply the same grassroots focus, my
21 opinion on this, and the advocacy that has been proven successful
22 with alcohol-impaired or drunk driving to prevent the drug driving
23 issue, and I think prevention is one of the key efforts.

24 You know, it's been nearly 2 years since President Obama
25 identified drug driving as a national priority in his 2010

1 national drug control strategy, and it set an ambitious goal of
2 reducing drug driving in America by 10 percent by 2015.

3 Well, in those 2 years I think we have made a lot of progress in
4 tackling this issue.

5 And I think my other analogy would be around the area of
6 prescription drugs. Up until about 3 years ago the prescription
7 drug issue and drug overdose fatalities now take more lives in our
8 country than the lives that we lose due to gunshot wounds and now,
9 of course, the lives that we lose just to driving crashes,
10 fatalities. Prescription drugs just hadn't been on the radar
11 screen or in the public's vocabulary, very similar to the way that
12 -- the drug driving issue, but through forums such as this and
13 many others and the partnerships I think we're getting that
14 message out, and I do think some real progress has been made.

15 In his 2010 and 2011 drug controls he declared December
16 National Impaired Driving Prevention Month and he called on all
17 Americans to commit to driving sober, drug-free and without
18 distractions.

19 This past October, leaders in youth prevention, highway
20 safety, law enforcement, government research from more than 40
21 diverse organizations gathered at our offices to talk about this
22 at a drug driving summit and to take stock of where we are in our
23 efforts and, more importantly, where we need to go. And at this
24 event I could not have been more proud to have a partnership with
25 Mothers Against Drunk Driving, an organization that seeks to stop

1 drunk driving and ONDCP announced along with them this
2 unprecedented partnership which I think is particularly important
3 because as a long time law enforcement effort I give so much of
4 the credit to the work that they have done.

5 Well, MADD and ONDCP agreed to raise public awareness
6 regarding these consequences of drug driving, and with underage
7 driving -- with underage drinking, drug abuse and other unsafe
8 behaviors, prevention again is the most important tool. And
9 that's why the National Youth Anti-Drug Media Campaign, our
10 campaign called Above the Influence, released a drug driving
11 toolkit to assist parents and community leaders with drug driving
12 prevention.

13 A few of you in the room are certainly old enough to
14 remember some of our earlier media attempts, this is your brain on
15 drugs, and it would have two fried eggs, et cetera. We have a
16 much more up to date and a peer-reviewed and tested media campaign
17 that really shows that young people who have been exposed to these
18 ads are more resistant to using drugs. And I would just caution
19 you that if you go to our website and look at those ads, don't
20 call me up and say I don't get it because, frankly, the ads aren't
21 for your age. I just --

22 Well, we're here to day because we're really dedicated
23 with so many people in this room to improving road safety and
24 saving lives. And it takes a lot of energy and dedication to do
25 that, and I'd like to take the opportunity to collectively assess

1 and discuss the other areas that we're working on in this
2 particular problem and how smart public policy can make our roads
3 safer and what we can do as parents and community members to
4 educate and prevent drug driving.

5 We've made significant strides since the President's
6 goal of reducing drug driving by 10 percent. It was announced.
7 And, first, we needed to work together to raise public awareness,
8 and I think we're doing that, our other partners in the room,
9 NOISE, RADD, et cetera. Most of the effective ways to combat drug
10 driving is through parents and these adult influences, community
11 groups, others who are working across the nation to educate young
12 people.

13 Research shows that parents are an incredibly powerful
14 voice, and whenever you don't think that young people are actually
15 listening to their parents they really are and they pay attention.
16 And, you know, as long as that message comes from a trusted
17 messenger, a coach, a law enforcement officer, a parent, a
18 teacher, et cetera, and it's a well tested message, I think it
19 makes -- well, we know from the research that it makes a
20 significant difference.

21 Our drug driving toolkit is an excellent resource. The
22 legal response is to get drugged drivers off the roads, and states
23 are pursuing enhanced legal responses such as per se laws that
24 will make it easier to keep those drivers off the roads. Well, 17
25 states in this country already have those statutes.

1 And, as you know, from listening to the discussion
2 Around the World on this particular issue, the sanctions can often
3 be much greater in other countries and, frankly, I think other
4 countries have taken this a bit more seriously and they've brought
5 this issue forward to reduce the problem on their roads.

6 We need to improve standards and reliability for drug
7 testing. We're very much behind in the tools and the technology
8 that law enforcement needs to combat this issue. We need the
9 development of reliable and widely available roadside tests. We
10 couldn't be more proud of the leadership that Secretary LaHood is
11 showing in this particular instance in holding summits, in looking
12 forward to an additional roadside test that will be underway
13 earlier than those, so we begin to have the data and develop a
14 baseline about what's going on.

15 We want to see more complete and consistent testing of
16 the fatally injured drivers in all states, and I think, as you
17 well know, the medical examiner system or the coroner system in
18 states varies widely.

19 We also know that many of these labs are under fiscal
20 stress and it's expensive and it's time consuming to test for
21 these drugs, but if we're going to make proper, high quality, good
22 decisions about improving road safety from the aspect of the drug
23 driving issue we really need to have that kind of information.

24 We've worked hard to reduce this problem and we're not
25 going to be satisfied until reductions in drug driving are as

1 steep as we've seen in other improvements in road safety.

2 I hope that I've left some sufficient time to engage
3 with you in questions or discussion around this. I think it bears
4 the point that the collaborations and the partnerships about
5 awareness and education and prevention and technology, all of the
6 things that worked so well to make improvements in reducing
7 alcohol-impaired driving, all of those same kinds of things can
8 work very well, I believe, to reduce the problem of drug driving.

9 I really thank the Chairman and I thank the members for
10 their time today, for your dedication and your interest in this
11 issue, for holding this summit and this discussion, and I look
12 forward to working with you in this area, so I very much
13 appreciate the discussion, the dialogue, the questions. Thank
14 you.

15 CHAIRMAN HERSMAN: I'm going to turn to Member Rosekind
16 first since this is his particular advocacy area to being the
17 questions.

18 MEMBER ROSEKIND: First, thank you so much for joining
19 us. And yesterday we actually acknowledged it was National Police
20 Officers' Memorial Day, so we're going to thank you for that
21 service, too, because we've pointed out that without having people
22 on the street identifying these folks and getting them in the
23 system for alcohol or drugs we wouldn't even be talking about
24 interventions, et cetera, so we thank you for that.

25 I have two questions, pretty focused. One, we've

1 learned that we don't have, as we do in alcohol, sort of a .08
2 equivalent for the thousands of drugs that are out there. Do you
3 have any thoughts about where we should be on sort of a per se or
4 some other criteria to deal with the problem now rather than, say,
5 wait for the research that will be needed to come out with that
6 kind of equivalency?

7 MR. KERLIKOWSKE: I think the key in two areas for us
8 would be, one, we strongly support the per se laws. We understand
9 that certainly the science is behind in developing levels of
10 impairment given the prescription drug issues that are out there,
11 the changes in potency of marijuana, et cetera. Per se laws to me
12 seem to make the most sense right now, and I think that will be
13 important.

14 And, of course, the other part is the technology, the
15 amount of time and training that it takes for a police officer to
16 become a drug recognition expert. We certainly know that DUI
17 attorneys are some of the most highly paid and one of the most
18 lucrative fields of criminal defense practice, and even with the
19 science of blood alcohol and breath analysis we really end up
20 relying so much on that individual law enforcement officer's
21 testimony. So the expertise that they can gather eventually, I
22 hope, bolstered with the technology for review of the problem that
23 the person is suffering from from behind the wheel will be a big
24 help in moving this forward, but I think for right now per se laws
25 are absolutely the answer.

1 MEMBER ROSEKIND: This is a little larger conceptual
2 one, and the NTSB -- our primary product really are safety
3 recommendations, so we don't create regulations, we don't enforce
4 them, but we have a very large group that we apply those
5 recommendations -- send them to. So can you give us thought or
6 guidance? You know, within this area, alcohol, and specifically
7 the drug driving, do you have any thoughts about where NTSB focus
8 would be best placed?

9 MR. KERLIKOWSKE: Well, I know from my colleagues in law
10 enforcement, but also prosecutors and others around the country,
11 that there are fiscal stress issues because unless there's a
12 fatality or a serious injury involved in these cases these are
13 going to be essentially misdemeanor cases. They certainly can
14 receive punishment and fines, but I think that the recommendations
15 for the support that local tax dollars are needed for something
16 that is not only very important when it comes to saving money as a
17 result of reducing drug driving crashes, but is also critically
18 important to saving lives and reducing, frankly, the costs of
19 medical coverage for some of these areas.

20 So your support -- I mean when I read and listen to and
21 clearly see the amount of coverage that the National
22 Transportation Safety Board recommendations acquire across the
23 country, I mean your voices are so incredibly powerful. People
24 pay attention and they listen to them because, you know, it's a
25 voice of reason and it's a voice that is one that helps people

1 understand that your goal is to save lives and make people safer.

2 MEMBER ROSEKIND: Thank you, sir.

3 CHAIRMAN HERSMAN: Member Sumwalt.

4 MEMBER SUMWALT: Director Kerlikowske, thank you for
5 being here, and you just pointed out that the NTSB does have a
6 strong bully pulpit, so what would be one or two things that we
7 can be doing to help push this -- move this needle in the right
8 direction?

9 MR. KERLIKOWSKE: Well, after helping those state and
10 local officials, and I know people are here from the U.S.
11 Conference of Mayors and others, helping them understand that that
12 investment can actually -- in reducing the problem can make
13 dividends. I think the other important part is the awareness.

14 So I had mentioned earlier that analysis. When I was
15 going through preparation for confirmation it was brought to my
16 attention about more people dying from drug overdoses than dying
17 from gunshot wounds. Look, I paid attention as a police chief to
18 things that hurt people in my community, so if it was pedestrian
19 crashes, if it was alcohol-impaired driving, if it was robberies,
20 et cetera, I mean I really felt that I looked at the data and
21 wanted to make sure I was putting strategies in place to protect
22 people from things that hurt them.

23 When I was told well, you do know that this is killing
24 more people than gunshot wounds I did not know that and freely
25 admitted it, but then I went back and tested all my friends and

1 colleagues and, frankly, they didn't know it either. And, sure,
2 experts knew it, physicians and people in particular specialties
3 that are affected by the prescription issue, but, frankly, a lot
4 of the public didn't know it.

5 And I would tell that I think this drug driving issue is
6 exactly that type of thing. We're making real progress on the
7 prescription drug front. I think that as we build awareness and
8 understanding and certainly through the work that you all do we'll
9 make a huge difference, so supporting those use of local tax
10 dollars and bringing awareness to the problem. I mean when young
11 people are more concerned about not getting behind the wheel with
12 somebody who's had alcohol or themselves, but don't seem to show,
13 as the research tells us, that it's a concern after having smoked
14 marijuana, you know, in my old job I'd call that a clue.

15 MEMBER ROSEKIND: Thank you very much.

16 MR. KERLIKOWSKE: Thanks.

17 CHAIRMAN HERSMAN: Member Weener.

18 MEMBER WEENER: Well, we share a little bit of
19 background. I spent a number of years in Seattle as well, and so
20 I suspect that you have just missed the gray season --

21 MR. KERLIKOWSKE: Yeah.

22 MEMBER WEENER: -- and summer's about to start.

23 MR. KERLIKOWSKE: July 4th or July 5th, I think, is
24 usually --

25 MEMBER WEENER: Yeah, and you're probably aware that

1 most people try to take the same 2 weeks in July off in Seattle.

2 MR. KERLIKOWSKE: Yeah.

3 MEMBER WEEFER: Yeah. We earlier today heard from the
4 international side, in particular the European Union, and they
5 seem to have a remarkably consistency between the states, at least
6 more so than I would have expected, but I think we in the United
7 States have as much of a problem in trying to get consistency
8 between the states. What are your thoughts about how we can get
9 better consistency on both the drugged and the drinking issues?

10 MR. KERLIKOWSKE: Well, I think they'll take it -- it's
11 not the -- I don't want to say more seriously. I think they'll
12 pay more attention to this issue in that the states, particularly
13 in the legislature, will have more emphasis and focus on this as
14 it bubbles up to the top.

15 You know, I've often heard that elected officials will
16 follow when the public leads, and I think that as the public
17 brings this to greater and greater intention I think that you'll
18 see these legislatures make that difference.

19 I think for some of them, particularly the states that
20 have passed medical marijuana laws, that there is a real level of
21 concern about how to put the drug driving issue into that box and
22 to kind of then disentangle them one from the other.

23 But, you know, it's not a -- you know, smoked marijuana
24 is not medicine. It has never been through the FDA process, and
25 we have a world renown process for determining what is medicine,

1 and we know from the research that getting behind the wheel with
2 marijuana is an impairment. It's a significant problem. So I
3 think that you will make a huge difference just by the mere fact
4 that you've held these 2 days of very intensive discussion and
5 brought in some of the foremost experts.

6 MEMBER WEEFER: And thank you for joining us.

7 MR. KERLIKOWSKE: Thank you.

8 CHAIRMAN HERSMAN: Vice Chairman.

9 VICE CHAIRMAN HART: Thank you, and, Director
10 Kerlikowske, I would add my thanks to you for joining us and
11 Member Weener's question was kind of a segue into what I'd like to
12 ask based on what we heard today, which is to what extent do you
13 see opportunities to exchange notes with other countries regarding
14 what worked and what didn't work in those countries to help us not
15 make the same mistakes and figure out the best way to get from
16 where we are to where we want to be?

17 MR. KERLIKOWSKE: One of the things that we did early on
18 when we began discussing this with other federal agencies here was
19 to -- well, we put together a resolution for the Commission on
20 Narcotic Drugs that the United Nations holds once a year in
21 Vienna. And, frankly, I was interested on the resolution to see,
22 one, how many other countries we would get as signatories.

23 And then when we held a public discussion in Vienna on
24 the issue as part of the United Nations Office of Drugs and Crime,
25 the CND meeting, how many people would show up, and we had about

1 114 in the room, which was pretty amazing. So it also right away
2 told me that not only is this a significant problem in other
3 countries, but that other countries were also working. So being
4 smart about this, collaborating with them, I think makes sense.

5 And then we were fortunate that our DOT and Canada, that
6 we were able to hold the first international conference. I think
7 that some of the countries that you have heard from are further
8 ahead in the development of some of the testing. I think that
9 strong sanctions are needed just as the strong sanctions are in
10 place in almost every state for alcohol-impaired driving, and so I
11 couldn't agree with you more.

12 Sometimes that parochial attitude of, you know, this is
13 just a problem here within our United States and on our national
14 highway system is a mistake. I think we can work with and
15 collaborate with other countries. Thank you.

16 VICE CHAIRMAN HART: Thank you and, again, thank you for
17 coming.

18 CHAIRMAN HERSMAN: Director Kerlikowske, I know I've
19 been with you at other events and I've heard you mention that
20 marijuana is a huge issue. If we were to look at identifying
21 testing that would need to be done perhaps at the roadside trying
22 to identify drugs in the future as the tests become more
23 sophisticated, what drugs do you think are most important to test
24 for?

25 MR. KERLIKOWSKE: Right now I think the research shows

1 the marijuana coming back in the FARS data and the others. I think
2 we also need to also, you know, know that, of course, the
3 marijuana, it's going to stay in the system longer and come back
4 so that the tests coming back don't necessarily reflect a
5 particular level of impairment.

6 And so our drug use in the country -- and we're often
7 asked what's our national drug problem and we actually have a
8 series of regional drug problems, so methamphetamines is a
9 particular problem in the West. In the Midwest prescription drugs
10 seem to be overshadowing a lot. And I'm thinking, more
11 importantly, around -- not more importantly, but I'm thinking more
12 around the area of the opiate pain killers that are widely
13 attributed to much of our prescription drug problem, the marijuana
14 issue.

15 And then, just like so many complex problems, we have
16 those combinations of drugs and those combinations of drugs with
17 alcohol. But I think we could easily help determine from
18 development of the technology the three or four particular drugs
19 that would be most applicable to technology and to testing.

20 CHAIRMAN HERSMAN: Okay. So when we talk about the
21 prescription drugs it sounds like opiates and the painkillers
22 right now are the ones that are your radar screen?

23 MR. KERLIKOWSKE: They are. They're very much leading
24 to the number of overdose deaths and they are also leading to a
25 very high number -- I think for every death there are seven

1 emergency department overdoses due to these prescription
2 painkillers.

3 CHAIRMAN HERSMAN: Okay. And then on the goal of
4 reducing the number of drug driving events by 10 percent, one of
5 the challenges that we are seeing is that the data really is not
6 good, and so one of the -- I think one of the confounding issues
7 for measuring whether or not you meet your goal or achieve your
8 goal is if we actually go get better at the data collection the
9 numbers are going to change on you and you're going to kind of be
10 measuring a different set than when you started out. So can you
11 talk to me a little bit about what we need to be doing when it
12 comes to data and how you're going to measure success?

13 MR. KERLIKOWSKE: When we were first briefed by
14 Dr. Michael and others about the roadside testing done in 2007,
15 you know, as part of that roadside survey -- I think that is done
16 about every decade.

17 We were able to work closely with the Department of
18 Transportation to speed that up by half, so that -- because all we
19 have basically right now is a baseline, and what we need to do is
20 to have a second measure, and I believe the Department of
21 Transportation can talk about where that second roadside survey is
22 underway, and I think that that will be most helpful.

23 But I also think that your support for more work at the
24 state level by medical examiner and coroner systems, particularly
25 every person that is killed in a car crash, the driver of a

1 vehicle, that there should be testing, and we know that in some
2 states there is not as much testing as in others, and that really
3 leaves, again, a lack of granularity to the particular data, so I
4 think that that's important also.

5 CHAIRMAN HERSMAN: Thank you so much and thank you for
6 giving us some time for questions. We really appreciate your
7 leadership on this issue and we look forward to working with you
8 as we move forward.

9 So we are going to switch panels and, as we're inviting
10 our next panelists to come up, Mr. Blackistone is going to be
11 making introductions, so we'll switch tables. Steve?

12 MR. BLACKISTONE: Great. Thank you very much, Chairman
13 Hersman.

14 Just as a reminder for our panelists, you'll need to
15 push the button on the microphone and a green light will indicate
16 if the microphone is on or not. Please bring the microphone close
17 to you and be sure to turn it off when you're done speaking so
18 that we don't have feedback. We thank you for that.

19 Our final panel today brings together representatives
20 from government, advocacy organizations, industry and other key
21 constituencies to discuss the practical concerns and actions that
22 are needed to eliminate substance-impaired driving.

23 Each speaker will have 5 minutes to provide an opening
24 statement. We'll then proceed to 20 minutes of questions from the
25 Technical Panel. Then we'll take a 30 minute break and return for

1 questions from our Board members.

2 We anticipate that there will be some back and forth
3 dialogue among the panelists, many of the questions today, but
4 given the size of the panel, we ask you to try and keep your
5 remarks brief so that others will have an opportunity to respond
6 as well.

7 Before we start, let me introduce Ms. Jacqueline Hackett
8 from the Office of National Drug Control Policy. She will not be
9 providing an opening statement but, rather, will be prepared to
10 answer questions on behalf of Director Kerlikowske.

11 And, with that, we'll begin our opening statements, and
12 our first speaker is Mr. Ralph Blackman from the Century Council.
13 Mr. Blackman.

14 MR. BLACKMAN: Thanks, John. Chairman Hersman, Vice
15 Chairman Hart and members of the Board, I'm Ralph Blackman. I'm
16 the president and CEO of the Century Council.

17 We're a not-for-profit organization founded 20 years ago
18 to fight drunk driving and underage drinking. Our funders are
19 Bacardi U.S.A, Beam, Brown Foreman, Constellation Brands, Diagio,
20 Hood River Distillers and Pernod Ricard USA.

21 The good news is that drunk driving deaths are down 52
22 percent since 1982, but these numbers conceal a persistent
23 problem, the hardcore drunk driver. These are offenders who drive
24 at BAC levels of .15 or above, or they do so repeatedly as
25 demonstrated by having more than one DWI arrest, and they are

1 highly resistant to changing their behavior despite previous
2 sanctions, treatment and education.

3 High BAC drivers are involved in the majority of
4 impaired driving deaths each year, a trend that has remained
5 relatively unchanged for more than a decade. About one-third of
6 impaired driving deaths involve repeat DWI offenders. However,
7 that's based on NHTSA's 3-year look-back period, not the 10-year
8 look-back period for repeat offenses favored by the Council or the
9 majority of states which have adopted look-back periods ranging
10 from 5 years to lifetime. Some estimates suggest it may be closer
11 to two-thirds.

12 Our national progress, though impressive and important,
13 has mainly succeeded in deterring the general public who tend to
14 respond to traditional sanctions and education efforts. Meanwhile
15 hardcore drunk drivers often slip through the system. They know
16 the escape routes such as BAC test refusal, failure to appear, and
17 not complying with their sentences.

18 If we are to reach zero and eliminate substance-
19 impaired driving we must understand the impact of hardcore
20 offenders. It is a fact that hardcore drunk drivers are more
21 aggressive, hostile and thrill seeking. They are more likely to
22 have criminal records, use drugs and have poor driving records.
23 And it is a fact that multiple DWI offenders have a high rate of
24 substance use and mental health problems such as Post Traumatic
25 Stress Disorder, depression and anxiety.

1 Our national must now implement comprehensive solutions.
2 Innovative use of technology has become the countermeasure du jour
3 in recent years and, though it may be conditionally effective, the
4 nation must not rely solely on it.

5 Research shows that once the technology is not in use
6 the hardcore offender begins to recidivate. As such, we offer the
7 following five priorities for your consideration:

8 Behavioral Change. Combine technology with efforts to
9 change behavior. Assess the offender's problem in the pre-
10 sentencing process when possible and treat the disorders while
11 protecting the public.

12 Supervise Offenders. Hardcore offenders manipulate the
13 system. Effective supervision and adequate resources to conduct
14 it are absolutely essential.

15 Education. DWI cases are among the most complex
16 criminal cases to adjudicate. Continued education for criminal
17 justice practitioners is critical to future progress.

18 An Individualized Approach. Individualized sentencing
19 and rehabilitation must be a priority for hardcore drunk drivers
20 as they often exhibit a number of co-occurring disorders.

21 And Better Data. We need a more accurate measure of the
22 problem in order to focus on better solutions. State data on
23 fatalities, arrest and injuries is incomplete, and as safer cars
24 and safer roads may also lead to fewer deaths, we should be
25 exploring whether fatality statistics alone are still the best

1 measurement upon which to base policy decisions.

2 So what can the National Transportation Safety Board do
3 to reach zero? First, use the NTSB's bully pulpit to keep
4 impaired driving as a top priority for the traffic safety
5 community and the American public.

6 Second, expand your recommendations beyond legislative
7 goal to pave the way for behavioral change by supporting
8 initiatives such as comprehensive offender assessment and
9 treatment, intensive supervised probation, additional DWI courts,
10 innovative programs tailored to community needs.

11 And, third, the NTSB can be the national leader in the
12 study of impaired driving by convening other federal agencies and
13 coordinating their efforts to identify science-based solutions.

14 In closing, we've accepted your challenge, as I hope
15 others will, to provide you with our recommendations per the title
16 of this forum which is Reaching Zero, not fighting drunk driving,
17 but Reaching Zero, actions to eliminate substance-impaired
18 driving. The Century Council commends you for this effort and
19 thanks you for your leadership.

20 MR. BLACKISTONE: Thank you, Mr. Blackman. Next we'll
21 here from John Bodnovich, American Beverage Licensees.

22 MR. BODNOVICH: Thank you, Steve, and good afternoon,
23 Chairman Hersman and members of the Board. My name is John
24 Bodnovich. I'm the executive director of American Beverage
25 Licensees. I'd like to thank you for this opportunity to appear

1 before you today on behalf of ABL regarding substance-impaired
2 driving.

3 American Beverage Licensees is a trade association of
4 nearly 20,000 small business owners who operate independent bars,
5 taverns and package stores in cities and towns across the country.
6 ABL members are active in their communities, contributing to their
7 local economies and the civic fabric of their hometowns.

8 Before I go any further, I'd like to applaud you for
9 including members of the beverage alcohol community and, in
10 particular, independent beverage retailers in this forum.

11 The first of many actions required to effectively
12 address this problem is to bring all stakeholders to the table.
13 Beverage retailers are already engaged in state and local level
14 policy discussions concerning drunk driving and hope that they may
15 be part of the solution to better protect their communities from
16 drunk drivers.

17 I was asked to talk about what I thought was the single
18 most important thing that could be done to reduce impaired driving
19 and fatalities. From the start of this forum a number of people
20 have stated that there is no silver bullet to the problem of drunk
21 or drug driving and I couldn't agree more.

22 I can share the perspective of independent beverage
23 retailers and one initiative that we are engaged in to make sure
24 that we are doing what we -- the beverage retailers are doing what
25 they can do be responsible purveyors of beverage alcohol and,

1 thus, positively engage in the first against drunk driving.

2 Beverage alcohol retailers and their staff are often
3 faced with complex situations when dealing with potentially
4 intoxicated customers. Questions can arise. Is the person
5 intoxicated or disabled? Is the person taking either over-the-
6 counter or prescription medication that's affecting their
7 demeanor? Is the server or bartender scared of confrontation?
8 Did the person enter a bar or tavern already intoxicated? And,
9 more to the point, how is public intoxication defined and
10 identified. As has been noted by others during this forum, the
11 signs of intoxication can vary greatly depending on the individual
12 and their tolerance.

13 For on-premise establishments licenses to serve alcohol
14 managing and controlling alcohol consumption can be challenging
15 and staff training must be comprehensive and ongoing. On-premise
16 establishment must also be attentive to the overall environment,
17 preventing over-service and dealing with customers whose
18 intoxication represents a danger to themselves and others.

19 That's why ABL has partnered with the Responsible
20 Retailing Forum and its supporters to develop responsible
21 retailing practices for on-premise alcohol serving establishments.
22 This guidance is an examination of policies and practices that
23 guard against unlawful alcohol sales, including over-service to
24 intoxicated individuals, and serves as a primer for protecting the
25 safety and well-being of the community while making licensees and

1 their staff aware of potential liabilities and fines.

2 ABL has promoted this guide and made it available to its
3 members, and this has coincided with our continued emphasis on the
4 fact that on-premise beverage retailers provide and sell an
5 experience, the camaraderie of the on-premise setting and
6 environment, not just alcohol. After all, beverage retailers have
7 an economic incentive to abide the law with a safe business to
8 keep their doors open as opposed to acting irresponsibly and
9 losing their license and their livelihood.

10 ABL members also continue to support server-training
11 programs that give retailer and their employees a baseline skill
12 set for being responsible retailers. This adds another tool to
13 the toolbox to prevent drunk driving, which leads me to an
14 observation.

15 We as stakeholders need to recognize and use all the
16 tools in our toolbox. That means recognizing that technology
17 alone, even if mandated by the federal government, is not going to
18 be successful in the long-term if implementation rates remain as
19 low as they are today.

20 It means appreciating that the criminal justice system,
21 DUI courts, prosecutors and judges have an important role to play
22 and their wisdom shouldn't be minimized in favor of probation
23 policies that drain resources and attention from probation and
24 parole professionals.

25 It means including assessment and treatment in the

1 equation so, as someone put it yesterday, we are not simply
2 warehousing offenders and only treating the crime and not the
3 individual.

4 It means that we see over-the-counter and prescription
5 drug abuse -- as we see over-the-counter and prescription drug
6 abuse become more commonplace we must develop better testing for
7 multiple substances so we can continue to grow our understanding
8 of the prevalence of drug driving versus drunk driving.

9 And, yes, it also means understanding that more needs to
10 be done if we want to talk about changing the culture when it
11 comes to drink driving, and many of those conversations need to
12 start with the servers and customers at our eating and drinking
13 places where much of our culture is defined.

14 If we are to be successful, however, we must also
15 acknowledge that there are millions of Americans who use beverage
16 alcohol products responsibly and should not be penalized for the
17 transgressions of those who suffer from illness or simply eschew
18 personal responsibility.

19 In closing, I have a friend and colleague who's fond of
20 saying that he is in the business of advancing the art and science
21 of responsible retailing. I think that perspective is a valid
22 one, for as much as science is involved in identifying and
23 fighting drunk and drug driving, there's also the art of
24 incorporating human factors and realities. That requires the type
25 of cooperative and collegial discussion that this forum has so

1 valuably fostered yesterday and today. Thank you very much.

2 MR. BLACKISTONE: Thank you, Mr. Bodnovich. Next we'll
3 from Troy Costales, Governors Highway Safety Association.

4 MR. COSTALES: Good afternoon. Thank you, Chairman
5 Hersman, Board members, for the invitation to have the Governors
6 Highway Safety Association here, and I would be remiss if I didn't
7 also mention that I knew Kevin Quinlin, many of you worked with
8 him, and he would also be very proud of the fact that this issue
9 has been brought forward for national attention.

10 The Governors Highway Safety Association does not
11 believe that the impaired driving program is a monolithic one or
12 one that there is one individual single countermeasure that's
13 going to make a difference. It takes a community and it takes all
14 of us working together at the table as you have done this last 2
15 days because, frankly, in some cases we may be working at cross-
16 purposes. Bringing everybody together in a respectful manner to
17 have the conversation is important, not just nationally, but
18 locally as well.

19 Related to alcohol impairment, the Association supports
20 that multi-faceted approach, strong laws, high visibility
21 enforcement, a well-trained judiciary and technological solutions.
22 Specifically, we support ignition interlocks for all convicted
23 offenders, including the first time offenders, sobriety
24 checkpoints or saturation patrols as well as DUI courts and
25 judicial training. We support the proposed incentive that is

1 currently in the House and Senate reauthorization bills as it
2 relates to interlocks and use of alcohol.

3 As you have heard earlier today, the DADSS program, we
4 strongly support that, including the House and Senate proposals to
5 continue funding that research because in the long term that will
6 have a huge impact, potentially even, to the spot of eliminating
7 impaired driving.

8 However, drug driving is a different issue and it's one
9 that's a growing problem in the States. Our base of knowledge
10 about drug-impaired driving is, frankly, 20 years behind that of
11 alcohol and is much more complex.

12 We believe the drug-impaired driving problem should be
13 elevated to a national priority, encouraging states to amend
14 statutes, to separate and have distinct sanctions between alcohol
15 and drug-impaired driving; develop standard protocols for
16 procedures for drug testing laboratories to use in identifying
17 drugs that impair driving; third, provide increased training to
18 law enforcement identifying the drugged driver; fourth, increase
19 the testing and reporting of drug testing information on fatally
20 injured drivers and drivers involved in fatal crashes when they
21 are not killed, increase training for prosecutors to help in the
22 successful prosecution of drug-impaired drivers; and, finally,
23 support international research to create an ignition interlock
24 device that detects drugs other than alcohol for that non-alcohol-
25 impaired offender.

1 The Association also supports the Drug Evaluation and
2 Classification Program and serves on the IACP's Technical Advisory
3 Group for that program, and we encourage all states to adopt the
4 program and train drug recognition experts, and also at the
5 federal level that the funds that are made available through the
6 USDOT to states to support this program be flexed so that states
7 can fund the program as today some funds are locked from being
8 able to be used towards drug-impaired driving and it is alcohol
9 only.

10 In addition, the Association believes that more research
11 should be conducted to understand the scope of the impaired
12 driving program, to examine the effectiveness of drug per se laws
13 and to develop accurate, reliable and inexpensive roadside testing
14 technology so that we can use that to detect the drug-impaired
15 driving.

16 With respect to your role as the Board, we recommend
17 that the Board continue to encourage states to enact strong
18 impaired driving laws, particularly ignition interlock laws, for
19 all convicted alcohol-impaired offenders, that you encourage
20 states to have that local coordination effort at a senior
21 government level so that everybody can come to the table
22 respectfully and have the conversation to bring things forward so
23 we don't work against each other, instead we work towards the
24 priority items that are needed.

25 The Board can also remind the public that driving is a

1 privilege and not a right, and that every driver has a personal
2 responsibility to drive safely without endangering others.

3 Zero is a lofty goal, but, first, we need zero in one
4 hour, and hopefully that zero becomes one day and that one day
5 becomes a weekend, eventually leading to a month and a year, but
6 we've got to start there first because impaired driving today is
7 claiming 30 lives in 24 hours. Let's make a difference one hour
8 at a time. Thank you.

9 MR. BLACKISTONE: Thank you, Mr. Costales. Next we'll
10 hear from J.T. Griffin representing Mothers Against Drunk Driving.

11 MR. GRIFFIN: Chairman Hersman, members of the Board,
12 thank you for the opportunity to represent my organization,
13 Mothers Against Drunk Driving, at your forum on substance-impaired
14 driving.

15 The Board has asked me to answer two questions: what is
16 the single most important thing that can be done to address
17 impaired driving; and what is the one thing the NTSB should be
18 doing on impaired driving?

19 Well, fortunately, MADD has an answer not just to
20 address drunk driving but to eliminate it. MADD's ability to put
21 a face with the crime of drunk driving changed the public's
22 attitude about drinking and driving. Since MADD's founding drunk
23 driving deaths have declined by 36 percent.

24 In the early part of the last decade we noticed that DUI
25 fatality reductions had largely plateaued and, once again, MADD

1 decided to refocus the highway safety community on proven DUI
2 countermeasures. While many lauded the significant fatality
3 reductions, the fact is that the country had become complacent
4 with 13,000 DUI deaths each year.

5 The result was the launch in 2006 of MADD's campaign to
6 eliminate drunk driving. We gathered traffic safety experts and
7 we're determined to focus on things that work. Our campaign calls
8 for three things. First, all states should conduct and utilize
9 high visibility sobriety checkpoints. NHTSA estimates that
10 sobriety checkpoints can reduce drunk driving by 20 percent, and
11 yet 12 states still do not fully utilize these lifesaving
12 measures.

13 Couple with sustained high visibility, in part through
14 NHTSA's national paid ad campaign notice, Drive Sober or Get
15 Pulled Over, sobriety checkpoints are a critical tool for
16 deterring drunk drivers. At MADD it's no secret that we support
17 these law enforcement heroes who keep us safe by conducting these
18 life saving checkpoints.

19 Recommendation Number 1, the NTSB should recommend that
20 all states conduct sobriety checkpoints.

21 Second, alcohol ignition interlocks represent the best
22 technology currently available to prevent convicted drunk drivers
23 from becoming repeat offenders. Interlocks are important because
24 we know that 50 to 75 percent of convicted drunk drivers will
25 drive without a license. The reality is that most people need a

1 car to get to work, school or wherever they need to go.

2 Interlocks are paid for by the offender and allow them
3 to keep their jobs, their family and a normal life. They just
4 can't drive drunk and hurt your family or mine when the device is
5 on the car.

6 While the NTSB currently recommends interlocks for
7 hardcore drunk driving and also some members of this panel, MADD
8 has found these laws -- has not found these laws to be practical
9 or effective. A 2006 report from the Insurance Institute for
10 Highway Safety discourages the safety community from focusing on
11 hardcore drunk driving and says the hardcore group isn't the whole
12 DWI problem or even the biggest part, so it doesn't make sense to
13 focus too narrowly on this group. The result is to overlook a lot
14 of other impaired drivers who escape this definition.

15 When the campaign began, only one state, New Mexico, had
16 an all-offender interlock law. Today, 16 states, plus a robust 4-
17 county California pilot program covering 13 million people, have
18 all-offender interlock laws and the results have been phenomenal.
19 Arizona and Oregon have experienced over 50 percent DUI fatality
20 reductions since passing all-offender interlock laws. New Mexico
21 and Louisiana have both seen over 30 percent declines in
22 fatalities. We have not seen these types of declines in states
23 that use interlocks to address high BAC or so-called hardcore
24 offenders.

25 Recommendation Number 2, the NTSB should encourage all

1 states to adopt all-offender ignition interlock laws and stop
2 focusing on hardcore drunk drivers.

3 Recommendation Number 3, the NTSB should encourage
4 judges to require interlocks on all convicted offenders because
5 every state, thanks in part to our campaign, now uses an interlock
6 and almost every judge has this ability.

7 Finally, MADD's campaign calls for the development of
8 advanced alcohol detection technologies that can one day stop a
9 drunk driver from starting his or her vehicle. The Insurance
10 Institute for Highway Safety believes such a technology could save
11 8,916 lives per year.

12 You heard earlier from Bud Zaouk on the details of the
13 DADSS program. MADD has worked to support this technology by
14 advocating for bipartisan legislation, the Road Safe Act, which
15 would authorize \$12 million per year for this program. The
16 current Senate surface transportation bill, known as MAP-21,
17 contains the Road Safe Act and would authorize DADSS for 2 years
18 for a total of \$24 million. Last year, 24 groups, including
19 representatives from the alcohol industry, signed a letter in
20 support of the Road Safe Act.

21 Recommendation Number 4, the NTSB should support
22 expedited development of advanced alcohol detection technologies
23 like DADSS.

24 Thank you again, Chairman Hersman, thank you, Member
25 Rosekind for the attention you have given to this matter and to

1 your dedication to eliminating drunk driving. Thank you also to
2 all the members of this Board for the opportunity to represent
3 MADD here today. MADD has carefully looked at what works and what
4 is possible to create a blueprint to truly eliminate drunk driving
5 in this country. We invite you and everyone else who is serious
6 about saving lives to join us. Thank you.

7 MR. BLACKISTONE: Thank you, Griffin. Next we'll hear
8 from Jenna Michael -- McMahon, I'm sorry, Jenna McMahon who
9 represents the National Organizations for Youth Safety.

10 MS. McMAHON: Hello. It's an honor to be here and I
11 truly feel blessed to be here. Upon being here from the
12 standpoint of youth, I'm also a living testimony. On April 22nd,
13 2008 I was arrested for drinking and driving. I was very lucky
14 that day that I got pulled over, or that night shall I say, that I
15 didn't harm anyone on the road and that I didn't harm my best
16 friend or myself. I did spend 14 hours behind bars and these past
17 2 days have kind of been a recording for me because I've been
18 through it.

19 I am from New York under the Nassau County court system
20 and District Attorney Kathleen Rice. I plead guilty to
21 misdemeanor DWI, which would be vacated on the date of sentence if
22 I followed the following conditions, and that was going through an
23 assessment of alcohol and drug and then being put into an
24 outpatient program for 6 months. I also had to perform 50 hours
25 of community service and 30 of those were a part of the district

1 attorney's program choices and consequences. I also attended a
2 Victim Impact Panel for MADD. I had an interlock device also
3 installed into my vehicle.

4 Upon compliance of all these, I'm being put into court.
5 I obviously did comply with everything. Upon that, my license was
6 suspended for a year. I paid a \$500 fine. And then I had to take
7 a 7-week course, which was also \$275 on behalf of the Motor
8 Vehicle Drinking Driving Program.

9 Right now I'm working part-time and speaking and
10 continuing to speak on behalf of youth, and I thank God every day
11 for this happening to be because as a youth I definitely was
12 living a life that was out of control and it has turned my life
13 around, and that's why I say it's a blessing. I never thought
14 being arrested I would be sitting on a national board in
15 Washington, so it is an honor, and I just want to continue to be
16 able to speak and share my story. Like I said, I'm very lucky
17 that I didn't kill anyone and -- but I do have a story because I
18 was put through that process.

19 Yesterday the question was asked, you know, what -- is
20 there any quick way to, you know, bring sobriety and the answer to
21 that is no, and the answer to that is time. So in questioning and
22 asking me, you know, what has changed my life, it's really been my
23 faith and it's really been time. The person I was at 20 and the
24 person I am now are two totally different people, and so, you
25 know, growth has really been the biggest thing for me in terms of

1 changing my life around.

2 So, like I said, we know we spoke about it yesterday, a
3 lot of times something like this, it's, you know, actually helpful
4 to the person for this to have happened to them and I can
5 definitely attest to that.

6 So that's it and I look to answer any questions that you
7 may have because, like I said, the things that we spoken about in
8 terms of having an interlock and paying and treatment, I've lived
9 all of that, so I hope to answer your questions in any way that I
10 can.

11 MR. BLACKISTONE: Thank you very much for your personal
12 testimony. We appreciate that. Next we'll hear from Jeffrey
13 Michael with National Highway Traffic Safety Administration.

14 DR. MICHAEL: Thank you. And thank you, Chairman
15 Hersman and members of the Board and NTSB staff, for organizing
16 and conducting this forum for bringing together an excellent range
17 of speakers to talk about the many facets of the impaired driving
18 problem.

19 If I could offer a couple of points in summary, I think
20 it would be that technology, I believe, is offering us our long-
21 term potential in reducing the impaired driving problem while our
22 short-term needs will remain on adjusting and maintaining public
23 priorities, and let me say a few things about that.

24 With regard to the technology, while, clearly, these
25 devices will be technically complex, they offer, I believe, a

1 simple solution to a complex problem in that they simply stop
2 impaired driving. They stop impaired driving whether the driver
3 is a problem drinker or a social drinker, an underage drinker or
4 an older drinker. Whether it's a repeat offender or a first
5 offender, they simply stop the problem. It's an efficient
6 solution to a complex problem.

7 Let me comment on the shorter-term needs for adjusting
8 public priorities. While working on the technology we're dealing
9 with a problem, I think, that defies a single solution. Our
10 speakers have pointed out the need for strong laws, good law
11 enforcement, effective adjudication and public awareness,
12 treatment, all of which are necessary to reduce the problem, and,
13 fortunately, for most of these areas we have evidence-based
14 programs available.

15 And that brings up back, I believe, to a point made by
16 your first speaker, Dr. Hedlund, who pointed out that we know what
17 to do, we just need to do it, and therein lies our big challenge,
18 I think.

19 Our public policy makers, our political leaders, our
20 public officials deal with fixed resources and it's very difficult
21 to maintain the kind of focus of energy and resources that's
22 needed to maintain this broad array of impaired driving problem.
23 It's, I think, our key challenge to keep the information in front
24 of them to make them make the right decisions, to help them keep
25 priority on the reduction of impaired driving. I think this forum

1 is an important contribution in that direction, an important call
2 to action that can result in maintaining that focus. Thank you
3 very much.

4 MR. BLACKISTONE: Thank you, Dr. Michael. Next we'll
5 hear from Arlington Heights, Illinois Mayor Arleen J. Mulder.

6 MAYOR MULDER: Thank you. And, first, I'd like to thank
7 the members of the NTSB Board here for raising the visibility of
8 what from a mayor's perspective is a critical problem, impaired
9 driving.

10 I've appreciate the opportunity to participate in this
11 forum today and I've learned a great deal which I will certainly
12 take back to my community, the village of Arlington Heights, as
13 well as to my colleagues at the Conference of Mayors.

14 I found more information than I ever, ever anticipated
15 by sitting through this day-and-a-half forum. It was very
16 informative, it was heartfelt, sad as well as challenging, but
17 throughout I noted a strong interest in the desire to write the
18 message to address this extremely negative aspect of alcohol, that
19 we need to respect what it can do to one's impairment,
20 particularly in a motor vehicle.

21 I'm especially pleased here today for our kickoff, I
22 guess, by Mr. Kerlikowske, the czar. Okay. Well, we'll just call
23 him the czar. And he's a top-flight public safety professional.
24 He's obviously been before America's mayors and has worked closely
25 with all of us as a police chief and, most recently, as the

1 director of the Office of National Drug Control Police.

2 It strikes me that probably the most important role
3 mayors can play in reducing substance-impaired driving is in the
4 area of community awareness and education. There are certainly
5 great programs out there and everyone's familiar with their
6 taglines, but are they really hearing the message? Are they
7 practicing the message? Are they sending it to their children and
8 those that they have influence over?

9 As community leaders we interact with residents all the
10 time and often they actually believe us and hear our messages. We
11 will continue to do that, and we can hopefully educate all of them
12 about the serious matters and the nature of this problem and that
13 it's not just something that's going to always happen. We really
14 have to make the commitment to try to fix it, to decreases it and
15 continue to see all these charts going down with the number of
16 fatalities as well as injured people in their own life.

17 We can certainly make sure that the drinking and the
18 drug use and anything that impairs driving, sometimes it's just
19 conversation, that we have to realize we're behind a motor vehicle
20 and it can be considered a weapon.

21 What I also learned and I'm going to add to my message
22 is just as important as not to drink and drive, but not to get in
23 a vehicle driven by someone who has.

24 We can work with our police departments, and I'm very
25 proud of our police department, to make sure that our drivers know

1 that they will face serious consequences for driving impaired and
2 for breaking any of our laws. It's important that we let them
3 know that these accidents and substance abuse and motor vehicle
4 crashes are preventable, but we all need to make that commitment
5 to make that happen.

6 Mayors can also play an important role supporting and
7 promoting to work of organizations within our communities such as
8 Mothers Against Drunk Driving, which do an outstanding job. I
9 know that personally I always contribute money to the post-prom
10 events, trying to remind kids that there are people within the
11 community, not just their parents or their friends, that care
12 about how they celebrate and that they get home safely and back to
13 school on another day.

14 Finally, I want to say that these strategies continue to
15 require creativity. Young people think they know it all. They
16 know much more than we do and we're just old. It's challenging
17 because they think they're invincible and it won't ever happen to
18 them. And, unfortunately, it's when it does happen that you wake
19 up.

20 And it's at the local level that we are challenged with
21 the recessions, and this was mentioned yesterday by some of the
22 speakers, and one of the questions that came to me was what's the
23 most important step that can be taken to help us, and my answer,
24 and I quote my police chief, "To direct more resources toward
25 helping police officers making those impaired driving arrests."

1 Without federal dollars that comes to each local
2 community we cannot afford to put our officers out to make those
3 compliance checks. We just -- we've let officers go. We've cut,
4 breaking my heart, Too Good For Drugs, which was a replacement for
5 DARE. It's been a real tough 3 years.

6 And the federal support that allows us to assign extra
7 officers to do the compliance checks is a way to reach the general
8 public. It's a key issue. Our young residents and our adults who
9 are making bad choices and setting bad examples are extremely
10 important to our future, and people don't like government. They
11 always say oh, why are you in government? Well, it's our
12 responsibility to try to help people to make those good decisions.

13 MR. BLACKISTONE: Mayor, could you wrap it up for us,
14 please?

15 MAYOR MULDER: Yeah. Help to make the commitment of
16 reaching zero. Let's eliminate substance-impaired driving.

17 MR. BLACKISTONE: Thank you very much. Next we'll hear
18 from Jacob Nelson with AAA.

19 MR. NELSON: Thank you, Chairman Hersman, members of the
20 Board, for having AAA here today.

21 As America's largest membership organization, second
22 only to the Catholic church, every year we try to take the pulse
23 of where our members stand on a variety of traffic safety issues
24 through doing sort of a nationally representative sample of them,
25 asking them what their priorities are, where they stand on certain

1 issues, and every year one of the questions that we ask is of this
2 long list of traffic safety issues, what are your priorities, what
3 are most important to you, and consistently every year our members
4 tell us that drunk driving is at the top of their list, so this is
5 a very important issue to us and we're very grateful to be here to
6 speak to you today.

7 We are not MADD and we're not trying to be MADD, and so
8 we look for ways to fill the gap rather than to duplicate efforts
9 to address the issue, and one of the ways that we did that was to
10 work with a team of researchers to look at what are the gaps in
11 addressing this problem and to look at what other stakeholders
12 like MADD and GHSA and others are doing to address it, and of all
13 of those opportunities what make the -- which of those make the
14 most sense for AAA to address.

15 And to answer the question of what is the single most
16 important we can do, and not to the exclusion of seeking out new
17 policy approaches to the problem of impaired driving, but without
18 question we think the emphasis should rest on improving existing
19 state policy and strengthening it.

20 There are so many loopholes in existing state law and
21 there's so much push-back in some cases with introducing new
22 legislation that there's a ripe opportunity, I think, to really
23 address the loopholes in existing law and to improve existing
24 state code. Working closely with the criminal justice community
25 with any state is a great way to do that.

1 Some common loopholes might include the no car problem
2 with ignition interlock policies, look-back periods which we've
3 talked about before, test refusal issues, and also who can draw a
4 blood sample at the roadside or who must be present in order for a
5 blood sample to be drawn. These are easy thing to fix. They
6 don't require new thinking. It just requires action. And I think
7 Jeff mentioned we know what to do, we just need to do it. That
8 would be an example of something that's really easy to do that we
9 could do today.

10 What is the one thing that the NTSB could do to help
11 address the problem? I think that one of the great services that
12 the NTSB could provide would be to keep its fingers on the pulse
13 of what are the existing loopholes across the states and to help
14 make stakeholders at the federal level, but also across the
15 states, aware of what those loopholes are.

16 One way to do that would be to conduct an annual survey
17 of stakeholders within the state and every bucket of the criminal
18 justice community to ask them what they view as the priorities or
19 the issues within their state, also conducting an annual audit
20 across all 50 states looking at state code and trying to identify
21 what those loopholes are. Act as a facilitator of that process,
22 and there are a lot of partners like AAA, and I'm sure MADD and
23 others would be interested in working with the Board to accomplish
24 that goal. I think doing this will aid states in achieving
25 strong, consistent and visible policies that build upon the

1 experiences and successes over the last few decades.

2 The other thing I just wanted to highlight before
3 turning the floor over to my colleagues would be to argue that
4 we've made a lot of progress obviously in the issue of impaired
5 driving. We have a long way to go. I think that by preventing
6 offenders from exploiting loopholes we can do more to address the
7 problem, and I would also add that I think members of the criminal
8 justice community need to do a better job of working closely
9 together in sync to follow suspected offenders through the
10 detection, prosecution and adjudication system to prevent them
11 from slipping through the cracks, and I think there are a variety
12 of ways that we can do that as well. Thank you very much.

13 MR. BLACKISTONE: Thank you. Next we'll hear from
14 Kansas Representative Jan Pauls.

15 REP. PAULS: I want to thank the Board for having us
16 here, the Chairman and Vice Chairman, members. It's exciting to
17 be here. I, too, love D.C. even though you say you don't hear
18 that much. I am, however, missing the last few days of the Kansas
19 session, so that will be interesting if I get back. They may
20 finish today, tomorrow, who knows. They may spend a lot of
21 quality time together and I'll be there, too, so I appreciate the
22 opportunity to be here.

23 What Kansas has done is something I know the Board has
24 recommended to different states, and we formed a commission in
25 2009 consisting of 23 individuals to look at our DUI laws because

1 we all thought we knew what needed to be done and we just needed
2 to do it, but we had some surprises along the line. There were
3 things that we did not realize that were messing up our system.

4 Twenty-three individuals on it. We had judges, we had
5 prosecutors, we had defense attorneys, sheriff. Secretary of
6 Transportation or designees are some of these, highway patrol. We
7 had SRS, our welfare group that funded some of the alcohol
8 treatment programs. We had, as I've stated, addiction counselors.
9 We had a real variety.

10 And the most interesting thing we found as we started
11 meeting was that people didn't like each other much in these
12 groups once we started talking about DUIs, and my history was such
13 I could kind of identify with a lot of it. I've been a judge
14 along the way and a prosecutor and didn't ever defend anyone on
15 DUI, but did do some defense work in criminal law and such, so I
16 had variety, and I found -- before I got to the legislature I was
17 aware that usually prosecutors would blame the judge for bad
18 decisions on laws, and then the defense attorneys would blame the
19 courts, but the courts love to blame the legislature for writing
20 really bad laws.

21 So when we all got together in these different
22 committees, and we met diligently over the 2 years our commission
23 was formed, I tell you, the first few meetings were really rough,
24 and that's one reason we went to subcommittees. I was the vice
25 chair of the committee because people would not talk to each other

1 or if someone started talking they'd cut the person off or make
2 some remark like that's typical of a judge to say that. And so we
3 eventually went into subcommittees where we could more openly
4 discuss with each other. It got so rough one of the sheriff's got
5 voted off his subcommittee, off the island, and joined our
6 committee. I guess we were tougher in our committee. We helped
7 him learn to share and discuss and such, but it was a really good
8 experience, but we had some surprises alone the line.

9 We found out a big reason that DUI -- people who had
10 multiple DUIs were getting off was because we had such a bad
11 record keeping. We weren't picking up -- a lot of the municipal
12 courts just weren't turning things in. Some of the district
13 courts would not necessarily get their reports in.

14 So one of the things we did out of this commission was
15 we set up a DUI central repository, moved it from Department of
16 Revenue or where driving records were kept, and it now goes into
17 our Kansas Bureau of Investigation, the criminal justice system,
18 and that was funded -- the only way we could do that was through
19 highway funding sources that the Department of Transportation
20 shared, and I think that's largely because they were on this
21 commission and saw how important this was.

22 The first year after -- the commission 2 years. The
23 first year after we got to our halfway point the House decided we
24 were all onboard with the mandatory interlock for the first
25 violation. The Senate didn't like that. Towards the end of 2010

1 we convinced the -- 2009 we convinced the Senate to get onboard
2 with that with the help of MADD and other groups, and so we have
3 started that.

4 The other factor we found that was interesting was the
5 lack of standard counseling for the alcohol assessments and the
6 curriculum, and so that's what we're working on now, is to more
7 standardize that.

8 We passed this law in 2010, which was really good, but
9 this is our conference committee report for a change this year I
10 the DUI law where it's going to criminalize second time refusal of
11 a breath test. Right now first time refusal you get -- your
12 driver's license is suspended for a year, et cetera, but we're
13 going to a second time refusal or if you don't have a prior DUI
14 conviction, and at that point then it is a crime on your record
15 and we've got other penalties involved, so --

16 However, the Conference Committee Report I just called
17 earlier today, it's still being held up as a result of the big
18 fight in the Redistricting Committee about the maps. We're
19 redistricting, so one of those political things that happens
20 everywhere, so -- but I think the reason you need a commission is,
21 like we are, you may find that the problem is not as obvious as
22 you thought. So thank you.

23 MR. BLACKISTONE: Thank you, Representative Pauls. Next
24 we'll hear from Mary Jane Saunders representing the Beer
25 Institute.

1 MS. SAUNDERS: Thank you, Madam Chairman, Mr. Vice
2 Chairman and members of the Board for giving the Beer Institute
3 and the beer industry an opportunity to participate in this very
4 important dialogue.

5 The Beer Institute and its member brewers, importers and
6 suppliers share in the concerns I know you've heard expressed over
7 the last day-and-a-half. I've listened to much of the forum by
8 the web cast and I can tell you that as other speakers have --

9 CHAIRMAN HERSMAN: It's off.

10 MS. SAUNDERS: I've got feedback here. Maybe I'll push
11 it back. Let's try that again. Okay. I'll try to sit back a
12 little bit and not talk quite so close.

13 VICE CHAIRMAN HART: Maybe if you move it closer. I
14 think they had to turn the volume up because it was too far away
15 and they tried that, famous last words.

16 MS. SAUNDERS: Does this help? We can try. Otherwise,
17 I can turn it off and just yell really loud. I'm good at that. I
18 had three kids and I've perfected my yelling technique.

19 What I would like to say about what we've heard over the
20 last day-and-a-half is that we share in the concerns that have
21 been expressed. The malt beverage industry deplores drunk
22 driving. We want our products to be enjoyed responsibly and only
23 by adults of legal drinking age.

24 We also have a very longstanding commitment to promoting
25 responsibility and preventing underage drinking and drunk driving.

1 I brought with me a brand new brochure that we've published on the
2 commitment that our industry has shown to responsibility. It
3 highlights a number of the programs that the beer industry
4 sponsors across the country to promote responsible alcohol sales
5 and to encourage the use of designated drivers and safe rides
6 home.

7 We also produce tools for parents to use in talking to
8 their kids about drinking. We have scaled these at different age
9 groups because we know the message needs to be different at
10 different age groups. We've also supported quite heavily the
11 FTC's We Don't Serve Teens Program, and our member companies work
12 with retailers to train servers on how to properly check ID's,
13 understand the effects of alcohol, and how to intervene
14 effectively to prevent potential misuse -- interim driving
15 situations.

16 Now, we've heard a lot about a number of different
17 solutions being proposed to address the issue of drunk driving.
18 The Beer Institute believes that a combination of education,
19 targeted intervention and strong law enforcement is needed to
20 bring the numbers down. We think a combination of efforts is
21 important because, as other speakers have said, we don't think
22 there is a one size fits all or magic solution to the problem.

23 We also think that policies to fight drunk driving
24 should be handled at the state level with input from state
25 legislators and state law enforcement. We don't think it should

1 be federally mandated. That being said, we also believe that
2 state judicial and monitoring efforts need to be strengthened, and
3 if they are we believe they can lead to even greater success in
4 reducing drunk driving. We want to see states in particular close
5 loopholes that we believe hardcore drunk drivers and repeat
6 offenders, in particular, exploit.

7 Now, state laws to address drunk driving are certainly
8 extensive. They are also patchwork and complex. In most states
9 murder statutes -- murder is a very serious crime I think we can
10 all agree. Murder statutes may only take up a couple of
11 paragraphs in the state code while DUI laws can take up 10 to 15
12 pages. The complexity that results from laws of that length have
13 created what we believe is an unfortunate network of loopholes
14 that are being exploited by the people who know them best, the
15 repeat offenders and the defense attorneys, and I say that as an
16 attorney.

17 In addition to making -- in considering how drunk
18 driving laws should be simpler and harder to evade, we believe
19 that states should work to make sure that the different parts of
20 their criminal justice systems work more efficiently.

21 Now, to be absolutely clear, we do not want states to
22 back off enforcement efforts. We simply believe the drunk driving
23 is best addressed through support for all parts of the system,
24 from the arresting officer all the way to the probation and parole
25 officer.

1 Now, while have said that there are too many laws in too
2 many states and they're too complex and there are loopholes, there
3 are times when we do think that legislation is warranted. For
4 example, we support increasing the remedies a Court may impose on
5 a driver who refuses to take a breath test requested by a police
6 officer. States that had addressed this, we believe, have already
7 found significant reductions in the number of refusals, making
8 convictions easier and elimination what we believe is a
9 significant loophole exploited by repeat offenders.

10 The Beer Institute and its member companies also support
11 the establishment of offender-funded systems, systems that are set
12 up to establish DWI fines at levels that cover the jurisdiction's
13 cost, and we think that higher fines can save taxpayer dollars and
14 act as a deterrent.

15 In closing, I would like to extend an offer to you on
16 behalf of all the members of the Beer Institute. We want to work
17 with you on the kinds of initiatives that I've shared with you
18 today. We want to encourage you to follow up with individual
19 brewers, and I can put you in contact with them in you need their
20 contact information or with me on behalf of the Beer Institute to
21 explore these options. And, again, thank you very much.

22 MR. BLACKISTONE: You're welcome and we appreciate that
23 generous offer. Next we'll turn to Stephen Talpins with the
24 Institute for Behavior and Health.

25 MR. TALPINS: Members of the Board, I want to thank you

1 for hosting this great forum and giving me this opportunity to
2 speak with you today. You all have chosen to tackle an extremely
3 complex and challenging issue.

4 I was a prosecutor in Miami for 12 years and I can tell
5 you I Took a beat-down every single day of my career. When I
6 started I was 6'5" and you see what they did to me. It's just a
7 very tough area.

8 You all have asked three questions repeatedly which I
9 would like to directly address if you don't mind and stray from
10 the script a little. You've asked why are we at a plateau, you've
11 asked what is the extent of drug driving, and you've asked how do
12 we break through the plateau, and I would submit to you that those
13 three questions are inextricably intertwined. They're almost the
14 same, and I'm going to explain to you why I believe that.

15 First, we are not in the current system addressing
16 offender needs, and drug driving is the perfect example of that.
17 The NRS, the 2007 NRS, showed that we have made incredible
18 progress on alcohol-impaired driving. The percentage of drivers
19 who are driving under the influence of alcohol or over .08 in the
20 1970s was far higher than it is today and has been declining
21 steadily ever since that time.

22 But we know that drug driving is on the increase and
23 we've done very little to nothing to doing that. We have done a
24 very poor job identifying drugged drivers. Various other speakers
25 have spoken about that. And it's created a problem because we're

1 not identifying them, we're not treating them.

2 What happens is somebody comes into the criminal justice
3 system, takes a pleaded DUI and, by statute in every state,
4 they're referred to some kind of treatment program. The treatment
5 program addresses the needs that they're aware of. When somebody
6 is placed on probation they are incentivized to deny any needs
7 other than the ones that the program people are aware of because
8 they don't want to take on any more responsibilities as a
9 condition of probation. That means it's deny, deny, deny, and
10 they get no drug treatment.

11 There's a study out of Norway which shows that drug
12 driving offenders recidivate at a far higher rate than alcohol-
13 impaired drivers. Because we are not identifying these people and
14 addressing their needs I believe very strongly that that's one of
15 the reasons we've hit a plateau. We need to identify these people
16 and we need to address their needs.

17 Very simply, we cannot educate, arrest, legislate,
18 prosecute or interlock our way out of this. No single solution
19 works. It is a complex problem which requires a comprehensive
20 solution, as every other expert in this area has said.

21 Interlocks are a wonderful tool. Don't mistake what I'm
22 saying. I think they are an absolutely critical tool. They don't
23 address drugged drivers and, just as drivers will drive without
24 licenses, they'll drive without interlocks. It's that simple. We
25 need to keep pushing ignition interlocks, but we cannot rely on

1 them as a solution.

2 I want to tell you about two things that are going on
3 right now that I think the NTSB can get behind that will be game
4 changers over the next couple of years.

5 The first is, again, better ways to identify drunk
6 drivers and assess offender needs. All across this country,
7 virtually every department in the country, has devised a standard
8 operating procedure that if a driver provides a breath sample of
9 0.8 or higher they do not test for drugs. That is a critical
10 problem.

11 In Miami right now we are running a study with the
12 assistance of Dreager, NMS Laboratories and Afinitin (sp.) where
13 we are doing two onsite oral fluid kits to identify people, to
14 screen people for drug use. If they test positive we are then
15 going to go to confirmatory laboratory testing. What this does,
16 it allows us a cheap way of identifying people over .08 who have
17 drugs in their system. It's also going to allow us to get a
18 better grasp of the drug driving problem in Miami.

19 You may not be aware, but we have a little bit of a drug
20 problem in our community that needs to be addressed. You would
21 never know it because we don't really do much about unfortunately.

22 The second part is we need to implement program to
23 change behavior. We need to shift focus in the criminal justice
24 system from incarceration to community corrections. It's great to
25 be tough on crime, and I'm a firm believer in the death penalty,

1 but it's better to be smart on crime and that's really what I'm
2 talking about. You've heard a lot about DUI courts which are
3 phenomenally effective. I don't want to beat that to death.

4 What I will tell you about is there are programs you've
5 not heard about like the South Dakota 24/7 Sobriety Program, which
6 is an offender-pay monitoring program which is working
7 exceptionally well, and I can give you a lot of information about
8 that. Member Rosekind, you and I have spoken about that in the
9 past and I can give you articles showing how incredibly effective
10 that program actually is.

11 Everything I'm discussing and everything everyone else
12 is discussing takes leadership. I believe that Mothers Against
13 Drunk Driving has shown us the way. We can model what they did
14 for alcohol-impaired driving and do the same thing for drug-
15 impaired driving, and NTSB can play an absolutely critical role.

16 There are two tactics I would encourage the Board to
17 engage in. One is I would encourage you to engage in the
18 pharmaceutical industry, the manufacturers and the retailers. It's
19 unfortunate to me that they're not here today because I believe
20 they have a critical role to play.

21 Thirty years or so when we started attacking the
22 alcohol-impaired driving problem the alcohol industry was not very
23 supportive to say the least. Today you look around and we see
24 organizations like the Century Council, ABL and the Beer Institute
25 and the Responsible Retailing Forum and others who have done an

1 absolutely phenomenal job supporting the criminal justice system.
2 They've played a critical role in everything we're doing. I
3 believe if you engage the pharmaceutical industry now we could get
4 the same kind of great reception we've gotten 30 years earlier.
5 That at least would be my hope.

6 The second thing I would suggest strongly is engaging
7 private industry. Again, I'm working very closely in Miami with
8 several different private companies, Afinitin, Draeger and NMS
9 Laboratories. We should be doing the same thing on the national
10 level. In criminal justice we have all sorts and needs that
11 technology can address. One of the problems is we do a poor job
12 of communicating those needs to private industry.

13 There are two different devices being created in Europe
14 that hold promise that we know very little about. One is breath
15 testing for drugs, a device called Sense Abuse, and another is
16 intelligent finger printing which SmartStart is bringing to the
17 United States. We need to know more about this. And, frankly,
18 it's a darn shame that we're relying on our European cousins to
19 show us the way in technology when we live in the greatest country
20 in the world with the most powerful economy in the world. We
21 should be the leaders in this area and I hope to see that and I
22 hope that you all will encourage that. Thank you very much.

23 MR. BLACKISTONE: Thank you, Mr. Talpins. In this case
24 I think we've saved the best for last. Dr. Robert Voas has a
25 distinguished career looking at the impaired driving issue for

1 many, many years and, really, many people consider him one of the
2 fathers of most impaired driving interventions and research. So
3 he's here today representing the Pacific Institute for Research
4 and Evaluation.

5 DR. VOAS: Thank you, and I want to thank the panel. I
6 particularly want to thank the panel for not making a requirement
7 for being on this hearing to come up with something unique because
8 in the last day-and-a-half I think you've heard just about every
9 possible approach, and that's been many an approach and that is
10 good. There are many things that can be done and much is required
11 to sort those out and to make sure that the best ones occur.

12 I wanted to speak to basically one issue and that is
13 decision making. The National Highway Traffic Safety
14 Administration has done a national survey of drinking and driving
15 and estimates that every month there are 85 million drinking
16 driving trips. That means that in a year there's a billion
17 drinking driving trips, which means that there are a billion risky
18 decisions. And I think this is the center of what we have to deal
19 with and it was mentioned really at the outset of this session by
20 Dr. Hedlund.

21 We have to deal with the individual's decision making,
22 and I think the most powerful method of doing that is the high
23 visibility enforcement. That is convincing people that there are
24 consequences, unpleasant consequences, if they drink and drive.
25 If we cannot do that, then we will not reach zero, so that is a

1 really key problem.

2 Now what do we mean by high visibility enforcement?

3 That is sobriety checkpoints and special enforcement units. The
4 problem is that we're not seeing enough of that. This panel
5 actually 30 years ago, in 1984, had a report on impaired driving
6 in the U.S. and flowing from that were a whole set of letters to
7 the state that urged them to have checkpoints and to make more
8 checkpoints and to use that method, and, unfortunately, over time
9 that has not been fully followed, but it's time for that to be
10 reconsidered and see if we cannot get the states to be more active
11 in this area.

12 Now one of the problems we face is that the police
13 departments in struggling to meet all of the potential concerns
14 with using the checkpoint technique have tended to overreach and
15 to use too much manpower which makes them costly, and that has
16 made them not very forthcoming, and using them today, as you know,
17 we have only 18 of the 50 states that use them even on a weekly
18 basis somewhere in the state.

19 We need to increase that if we're going to increase
20 deterrence. It is not a matter of just making arrests, though
21 arrests are important, but only a small portion of the people that
22 are producing our fatal alcohol related crashes have had a prior
23 arrest. We have to influence all of those that are going to do
24 those crashes without arresting them. That means we have to use
25 deterrents, and that is something that this panel can do by

1 looking back at that 30-year-ago program and updating it and
2 focusing on sobriety checkpoints to see whether we can find the
3 way to actually get this carried out.

4 Now, a barrier to that has been this concern of the
5 police departments that it takes a lot of individuals, that makes
6 it expensive, and that they don't get very many arrests, but there
7 is research that has been supported by NHTSA and others that shows
8 that you can get a large influence from much smaller numbers of
9 officers at checkpoints and that we can increase the arrests by 50
10 percent if they'll use the technology of passive sensing, but we
11 must persuade the police that this is possible so that within
12 their budgets they can manage this because there's been a tendency
13 to rely on the federal government through the Highway Trust Fund
14 for funds and we know that that trust fund is running down.

15 And, further, we know they're about to be hit by a great
16 wave of new requirements based on the problem of drug driving. So
17 that makes this particular point an unusual opportunity for this
18 panel to take action and put out again a report on this subject to
19 be followed, as it was back in 1984, with a series of letters to
20 the states urging them to consider to increase high visibility
21 enforcement in the interests of impacting that one billion risky
22 decisions that are going out there every year.

23 CHAIRMAN HERSMAN: Dr. Voas, thank you so much for
24 summing up for us. We really appreciate you being here and all of
25 the work that we've done reading much of the body of work that

1 you've prepared over the years. It's been very informative.

2 We are going to take a break now for about 20 minutes.

3 We will reconvene at 3:10, at which time we'll move to Technical
4 Panel questions followed by questions from the Board members. We
5 stand adjourned until 3:10.

6 (Off the record.)

7 (On the record.)

8 CHAIRMAN HERSMAN: Welcome back. We'll now begin with
9 questioning from our tech panel. Mr. Blackistone?

10 MR. BLACKISTONE: Thank you again, Chairman Hersman.

11 I'd like to direct our first question to the two elected officials
12 on the panel, Mayor Arlene Mulder and Representative Jan Pauls.

13 As elected officials and regardless of whether it's
14 executive branch or legislative branch leaders, you and your
15 colleagues are constantly confronted with a wide variety of issues
16 and these can range everything from setting budgets and allocating
17 resources, spending and taxing priorities, dealing with matters
18 such as education, environment, economic development jobs, and the
19 never-ending array of social issues.

20 And my question is where among this vast panoply of
21 issues does impaired driving fit among your colleagues, how
22 important is this issue to your colleagues?

23 MAYOR MULDER: Having been a high school teacher prior
24 -- I don't if it's there, yeah -- high school teacher prior to
25 being elected as the president of our community as Mayor I'm very

1 tuned into kids and underage drinking, and as mayor you're also
2 the liquor commissioner, so we sent out a sting every year and we
3 give warnings, but I have zero tolerance, so any service to
4 underage people I can really put down my hatchet and make it very
5 painful for the establishment. Their responsibilities -- there is
6 always circumstances, but I try to use influence there. I also
7 can, you know, make it difficult for people to get the license.
8 They have to have a reputable reputation and things like that.

9 So that's the state of Illinois. I'm not sure if all
10 states are governed that way, but it is an important thing to me
11 because it impacts so often the victims of drunken driving as
12 young people and, you know, adults do it as well. It's just --
13 it's such a waste of life.

14 MR. BLACKISTONE: Representative Pauls, among your
15 colleagues how important is dealing with impaired driving issues?

16 REP. PAULS: Well, it's like anything else, there's some
17 years that's a more urgent issue than others, and I think one
18 thing we did with the Commission was we helped focus attention on
19 a very important topic and people got more onboard with it as they
20 were hearing reports back and we were looking at bad record
21 keeping, et cetera, so it depends, but the sad thing about DUI or
22 impaired driving in general is that there's always somebody out
23 there that's going to make that a front-page headline, but that
24 helps in the legislative process.

25 We had a real spectacular accident at home a year ago in

1 Hutchinson where a gentleman, who in other ways is a very nice
2 gentleman, crashed his car into a couple of gas pumps at a service
3 station and did a lot of damage. Amazingly, no one was killed
4 including him or even injured, but it got a lot of attention
5 because it was probably his 14th or 15th DUI.

6 And, you know, there's always some individual out there
7 that's willing to help in the legislative process accidentally or
8 I should say crash -- by a crash they're willing to help, and so
9 it's one of those topics that keeps coming up. Sometimes when my
10 colleagues say I don't know if I want to vote for this bill or I
11 don't want to do this or whatever I point out the fact that you
12 can vote no if you want to, but if you have a fatality accident,
13 crash, in your district then you're going to regret the fact that
14 you didn't support tougher standards or new approaches to DUI, but
15 you're right, it's very hard.

16 This year we've got redistricting. We're the last state
17 to finish. We've got big school funding issues, big tax issues,
18 et cetera, and it's hard to get the kind of focus you'd like on
19 some of these issues. But the nice thing about the Commission is
20 that people realize we're finally getting all of our laws unified and
21 I think in the long run this will save a lot of legislative time
22 as well as saving a lot of -- more importantly, a lot of lives.

23 MR. BLACKISTONE: Great. Thank. Now we'll turn the
24 questioning over to Ms. Davis.

25 MS. DAVIS: Jenna, this question's directed at you. In

1 your opening you mentioned some of the consequences that you
2 experienced. Could you explain which one or if there were several
3 that had the most impact on changing your behavior, and then also
4 which ones did not have impact on you?

5 MS. McMAHON: I think personally for me, and it may be
6 different for others, but having to speak. I remember having to
7 script a story, go to the district attorney's office. They had to
8 review it and then my dad basically drove me around Long Island
9 because I had to speak. I don't know if any other states have
10 such a program, but the district attorney brings relative cases,
11 real cases, and sometimes it's used pre-prom or maybe to kick off
12 the high school year, and then there are people like myself who
13 are in conviction that have to speak and share their story. Lucky
14 for me I really do enjoy speaking and being a mentor and just
15 being an example to youth, so that's something that I carry out
16 today. I still speak in high schools. To be honest, I've
17 probably spoken in almost every high school in Nassau County and I
18 enjoy that.

19 In terms of what was effective, I think that just the
20 overall -- all of the consequences because I can't say that maybe
21 one in particular was, but I think having eight consequences, and
22 if not having -- if not complying with them, seeing that I could
23 have been sentenced up to a year a jail probably was something
24 big.

25 Also, I know we've spoke about it a lot, but just the

1 inconvenience. I think had something like this had happened to
2 right now my life would really be ruined. I was young, so I had
3 the support of my parents and it wasn't like I had a full-time job
4 or a family or anything like that going for me.

5 So also speaking from, you know, where I stand now in
6 terms of employment, that's a big issue. I was lucky that due to
7 the fact that I complied with everything I was charged with a
8 DWAI, Driving While Ability-Impaired, so I do not have a
9 misdemeanor on my record. I have a traffic violation which I'm
10 thankful for.

11 But in terms of the interlock, also I do think it was
12 effective, but I could say that it was probably more obnoxious and
13 that's something that I go in my speech when I speak to high
14 schools, that we take for granted a lot of things in life and we
15 take for granted hopping in the car, whether it be going to work
16 or getting coffee or anything like that, and, you know, I tell
17 them that I had to sit in my car, I had to wait 30 seconds, and my
18 car would speak to me.

19 I remember being very embarrassed because, you know, had
20 you been next to me at a red light and, you know, it asked me to
21 provide a sample, because it does ask you to provide samples as
22 you drive, you know, here I am holding a big box and blowing into
23 it and someone that glanced looking over is probably wondering
24 what is going on.

25 You know, also I remember just being at lights and

1 putting up my windows because it was very loud. It came over a
2 speaker like this and it said please provide a sample, so if you
3 were next to me and your lights were down -- you know, your
4 windows were down, you would definitely hear that as well.

5 I know something else that we spoke about yesterday,
6 that I did have a camera on my dashboard, so in terms of people
7 saying oh, you could have somebody else blow into that, I don't
8 know if there's different interlock devices, but the one that I
9 had per se did have a camera on my dashboard, so --

10 And something else I just want to really point out is
11 that in terms of treatment, I have to say when this happened to me
12 when I was 20 years old I tell you right now that I was really not
13 up for quitting partying. So, to be honest, it didn't really stop
14 me. I think from a clinical perspective someone could definitely
15 agree with me that until someone is ready to stop those behaviors
16 they're not going to stop, and being a part of treatment I looked
17 at it as okay, how am I going to beat the system, how am I going
18 to tell these people what they want to hear and get out of here
19 because I knew had I told them the real truth that I would have
20 been there a lot longer. And in terms of initially being assessed
21 after being arrested, you know, your assessment is only as good as
22 the truth that you provide.

23 So, you know, to answer your question, like I said, I
24 just think everything in combination was effective for me, and,
25 you know, that's kind of the end of it.

1 MR. BLACKISTONE: Thank you. Ms. Roeber?

2 MS. ROEBER: I'd like to -- we've heard a lot about
3 various countermeasures, various interventions and their different
4 levels of effectiveness, and so the question I would have is how
5 do we make sure that the most effective programs are getting used?
6 In other words, how do we hold everybody from the law enforcement
7 officers to the state program folks to the legislators accountable
8 to make sure that they're using the most effective programs, and I
9 figure it's only fair to start with Mr. Costales who represents
10 highway safety offices.

11 MR. COSTALES: Well, we happen to be in a time of
12 instant gratification, instant popcorn, instant movies, instant
13 messaging, and it would be pretty easy to fall trap to say that if
14 we all got together one time we'd have an instant fix, and that's
15 not the case with this one. It's too complex.

16 It's bringing together a group of senior officials that
17 have the authority to do something about it from the management
18 side, the policy side, but also have an understanding of what it's
19 like to have another foot on the ground and actively doing the
20 work, that comes together that will bring information in, but also
21 turn around and give information out. Sometimes these commissions
22 and everybody bring information in and nobody ever knows what's
23 going on with the conversation, with an understanding that it's a
24 place to raise issues, good and bad, and that we think about the
25 system and all of the approaches that are there and be willing to

1 risk, let somebody move your cheese, because if we don't do that
2 we're not going to move forward, we're not going to make any
3 improvement.

4 And there are times when members around that committee
5 have to take the issues and go public to the court of public
6 opinion in order to call somebody out because they are not
7 following the rules, they're not playing the game fairly, they are
8 not participating in the conversation and that's tough,
9 particularly in a world of politics, that that one statement you
10 make that ends up in the press could end your career that quick.
11 But by having advocates, volunteers and others that are willing to
12 go ahead and raise the issue and have a spot to raise it uniformly
13 and fairly and people understand what their role and
14 responsibility is, you can start holding some people's feet to the
15 fire to make sure things are done, but you've got to understand
16 that walking into it that's taking a risk because, just as you may
17 see something going on with somebody else, they may, in turn, see
18 something going on with you.

19 MR. BLACKISTONE: Thank you. I'd like to address a
20 quick question to our two representatives from the alcohol
21 industry, Mr. Bodnovich and Ms. Saunders. What is briefly the
22 industry's position with regard to various technological
23 interventions we've heard about, ignition interlocks for all
24 offenders, longer term DADSS project which involves passive
25 alcohol sensors being installed as original equipment in vehicles?

1 MS. SAUNDERS: We have not taken a position on the
2 longer-term passive devices issue. With respect to interlock
3 devices, we do have a position that they are appropriate for
4 repeat offenders and at the discretion of the Court for certain
5 first time offenders, particularly those with a high BAC.

6 MR. BODNOVICH: We hold a similar position to the Beer
7 Institute as Mary Jane outlined, although I would add that our
8 state affiliates have worked within their states to support the
9 use of alcohol -- or, excuse me, ignition interlock technology for
10 repeat offenders and hardcore drivers and sought the passage of
11 bills in recent years that have called for that implementation and
12 use.

13 MR. BLACKISTONE: Is there a reason why you have not
14 supported ignition interlocks for first time offenders?

15 MR. BODNOVICH: I think that at this point there's, you
16 know, been a focus on, you know, what the hardcore offenders, you
17 know, cause and bring. It's been at the forefront. I think our
18 position is one that's constantly being studied and evolved by our
19 Board of Directors as, you know, we see the realities of -- and
20 successes of different policies in different states, so I would
21 say that it's a policy that's constantly being reviewed.

22 MR. BLACKISTONE: Thank you. Ms. Davis?

23 MS. DAVIS: Ms. Hackett, one of our panels yesterday
24 focused on education and outreach. Could you address education
25 and outreach programs, messaging on the drug-impaired driving

1 issue?

2 MS. HACKETT: One product that the Office of National
3 Drug Control Policy has put out that Director Kerlikowske
4 mentioned today in his comments is the drug driving toolkit. This
5 was provided by our National Youth Anti-drug Media Campaign, the
6 Above the Influence campaign, and it provides parents, caregivers
7 and trusted adults with a step by step guide of how to increase
8 the knowledge and awareness of the drug driving issue in their
9 community.

10 As the Director stated, we see surveys again and again
11 that young people are listening to what their parents are telling
12 them even when parents don't believe that they are the most
13 influential person in a young student's life, and we are really
14 hoping to provide parents with the tools they need to have these
15 important conversations with their students, but also to make this
16 a community initiative.

17 We've provided this toolkit to our drug-free coalitions,
18 which are located around the country, to allow them to work in
19 their communities and address their community needs in
20 collaboration with the students and the parents in their schools
21 and communities to work in their communities and raise awareness.

22 We've also been working with a lot of non-profits and
23 organizations that are in the room today so we can focus on the
24 collaboration and the coordination of what we're all doing on the
25 education side. We don't want to keep reinventing the wheel. We

1 really want to make sure that we're providing to communities and
2 school associations as many products as we can and the best
3 products we can so they can go forward with these products and
4 address the issues in their community.

5 MR. BLACKISTONE: Great. Thank you. Ms. Roeber?

6 MS. ROEGER: My question is directed at Mr. Blackman who
7 mentioned the hardcore offender and Mr. Talpins who mentioned 24/7
8 because, as we heard yesterday, particularly from the judge and
9 the treatment of professionals, all of the people on the
10 consequences panel, that hardcore drinking drivers are resistant
11 to change. That being said, we have limited resources, so I'm
12 thinking not everybody is going to get into DWI court. What are
13 some, I'll say, lesser expensive alternatives or way to address
14 the fact that we may not be able to get everybody through the most
15 comprehensive program?

16 MR. BLACKMAN: Well, the cost of a DUI or the cost of
17 dealing with hardcore drunk driver, I think, is a lifetime cost.
18 The fact of the matter is that we're working now with the
19 Cambridge Health Alliance and Harvard Medical School to come up
20 with a better assessment tool.

21 The fact of the matter is a better assessment which, in
22 fact, may prove to be effective on its own as an intervention, but
23 also that provides a clearer picture of not just the alcohol
24 issues that, again, those offenders may suffer from, but of drug
25 offenses as well as mental health issues, to really look at that

1 offender as a holistic problem, if you will, and be able to deal
2 with that.

3 In some states there is mandatory assessment, in some
4 states there is some mandatory treatment, but at the same time
5 we've seen issues where, in fact, hardcore offenders would rather
6 go to jail and not have treatment because they don't want to face
7 their demons, if you will, regardless of what those demons may be.

8 So I'm not sure that even that falls into the category
9 of if you can put A together with B you're really going to equal
10 C, but I think at that point if we can get a better -- we have
11 lived by a mantra for many years which is swift identification,
12 certain punishment and effective treatment. The sooner that
13 officer understands either by repeat offensives or a high BAC that
14 that offender may not be somebody who is a beginner or has a
15 simple drinking issue but, in fact, is somebody who demands a
16 different track, then that offender goes down that different
17 track.

18 Swift identification, certain punishment, all the data
19 suggests that the more certain the punishment, the quicker the
20 punishment, the more reliable the punishment, the more effective
21 it's going to be, and, of course, then treatment, not just
22 treatment, but treatment and aftercare, because for many people it
23 will be a lifelong issue.

24 MS. ROEBER: Mr. Talpins?

25 MR. TALPINS: Danielle, I want to thank you for asking

1 me that question. Since I left the Miami State Attorney's Office
2 in 2004 I've spent basically half my life traveling the country
3 talking about justice solutions, and every time I talk about these
4 programs which we know work the first comment I always get is show
5 me the money, and I feel like Jerry McGuire because actually I do
6 have the answer to that. The reality is that we can create self-
7 sustaining programs.

8 Again, DUI courts are a perfect example of that.

9 There's an academy court that was taking place in Athens-Clarke,
10 Georgia that was run by Kent Lawrence which actually became a
11 revenue-generating program based on offender fees. While that's
12 not the norm, there are other courts like that around the country
13 and I would encourage you all to speak to David Wallace who's the
14 director of the National Center of DWI Courts for more information
15 on those.

16 As far as the 24/7 program, are you all familiar with
17 that program out of South Dakota? Let me give you some details of
18 how that program works because it's probably very different from
19 anything you've ever heard. I know Member Rosekind's familiar
20 with it because we've discussed it.

21 But basically what happens is the program is geared
22 toward what we call the hardcore offenders. These are offenders
23 who either refuse to provide a breath sample or people who test
24 1.5 or above or people who have priors, and what happens is all
25 these people are put into the program as part of a condition of

1 their bond. We don't wait for sentencing to put these people in
2 the program. And they either report to their local sheriff's
3 department twice a day, every morning and every evening, for a
4 breath test or they wear a transthermal alcohol monitoring
5 bracelet, a TAM bracelet, manufactured by Scram -- or by AMS.
6 Excuse me, it's a Scram device. And they're also randomly drug
7 tested.

8 And whenever I describe this people say to me why in the
9 world would the sheriff's adopt this program which is not
10 mandatory, why would they voluntarily want to be part of it, and
11 the answer's very simple, again, it's revenue-generating.
12 Offenders pay to participate in the program, and the collection
13 rates are quite high. They exceed 90 percent and the program now
14 is self-sustaining. It was seeded by the legislature, but, again,
15 it's now a self-sustaining program.

16 I believe coming out of an obviously much large
17 jurisdiction in Miami that this program can very easily be taken
18 to scale either by using twice daily breath testing as the
19 economic engine for the program or at home breath testing.
20 Several companies, most notably SmartStart, have developed devices
21 that can be used in the home that are almost as inexpensive as in-
22 station breath testing and can be used to generate funding as
23 well, so if we do this right we can actually pay for the program
24 itself.

25 And that doesn't even talk about the huge amount of

1 money we can save by placing people on community corrections as
2 opposed to incarcerating them. And, again, this actually reduces
3 recidivism, so not only do we save money or make money, but we
4 improve public safety and public health in the process.

5 MR. BLACKISTONE: Thank you, Mr. Talpins and
6 Mr. Blackman. We appreciate your answers. Finally, MADD's
7 campaign has put a significant emphasis on ignition interlocks,
8 which we've heard from a variety of people have been very
9 effective at preventing impaired driving while they're on the
10 vehicle.

11 What additional steps does MADD recommend to ensure that
12 the behavioral change that occurs while they're on their vehicle
13 continues after the interlock is removed?

14 MR. GRIFFIN: Right now, Steve, our focus is on actually
15 getting the device installed, actually working with the state
16 legislatures to make sure that they pass the laws. When MADD
17 started its campaign, as I pointed out in my testimony, only one
18 state required interlocks, so right now MADD's focus is working in
19 individual state legislatures trying to pass those laws that
20 require offenders to use the devices.

21 MR. BLACKISTONE: Thank you very much. Madam Chairman,
22 that concludes that questioning from the tech panel.

23 CHAIRMAN HERSMAN: Thank you. We'll go to Member
24 Rosekind.

25 MEMBER ROSEKIND: First, thanks everybody. People are

1 wondering -- I'm not sure we've ever had a forum that ended with a
2 13 person panel and we're going to let most of them speak, and I'm
3 thanking you because everybody stayed on message, very much
4 responded to the requests and was quite thoughtful in their
5 comments. In particular, several of you were very direct. We
6 asked about -- you know, give us some direction and thoughts about
7 what we could do and thank you very much for those concrete
8 suggestions.

9 So my questions are actually kind of much larger scale
10 and, frankly, if I let all of you answer we're already out of
11 time, so let's think of this as the lightning round and basically
12 I'm going to ask some big questions and this is the only time if
13 you have a response, raise your hand. We'll try and go to two
14 different people, put some other questions out and see if we can
15 cover a broad range starting with we've looked a lot at how the
16 laws and other things have changed, and here at the NTSB we look
17 very often at safety culture, and I'm wondering if you have
18 observations or data about how culture, our culture here in the
19 United States, has changed around drinking or drugs and driving
20 over the last 20 or 30 years. Mr. Nelson, first hand up.

21 MR. NELSON: So your question is how or how can we do
22 more?

23 MEMBER ROSEKIND: It's really both, but I'm really
24 interested in the cultural aspects of what's changed and do we
25 have data that shows that's changed. Pretty much everyone's used

1 fatalities as an outcome measure. That's the number we look at at
2 31 percent, so we know about designated drivers. What's changed
3 in our culture?

4 MR. NELSON: I think that it's just no longer socially
5 acceptable. It's gone from, you know, here's one for the road to,
6 you know, don't drink and drive. I just think what is considered
7 socially acceptable in the United States has changed, and that's
8 something that takes a long time to do. We can't do it tomorrow,
9 we can't do it next week, but we can make incremental steps in
10 that direction.

11 I think one of the ways that we can make, you know,
12 impaired driving more of a higher priority across the United
13 States within the general public in particular is to be a little
14 bit more serious about dealing with the issue with folks who are
15 convicted. We shouldn't hear stories about people who -- they
16 kill somebody and it makes the media and they've had 11 prior
17 convictions. I mean that's ridiculous.

18 I mean if the criminal justice community were to come
19 together and to really understand, you know, for judges what makes
20 their job easier and harder in terms of bringing an offender to
21 justice. And the same for law enforcement, what makes your job
22 easier and more difficult in terms of dealing with drunk drivers?
23 I mean the system could work a lot better, and we wouldn't see,
24 you know, offenders killing somebody having offended or been
25 convicted 11 other times.

1 So I think getting serious and coming down really hard
2 on the issue, the entire criminal justice community I think would
3 send a strong message that would sort of reinvigorate where we've
4 been.

5 MEMBER ROSEKIND: Let me just restate again, one of the
6 things that we've done and often cited is, you know, when
7 seatbelts first came out there was maybe 14 percent use. Thirty
8 years later we're at 85+ percent. Do we have that for designated
9 drivers or other kinds of cultural changes? So Dr. Michael?

10 DR. MICHAEL: We have a national roadside survey that is
11 a very good indicator of the change in culture that shows a
12 reduction in drivers above .08 from more than 7 percent. It's
13 just ambient roadside checking, not crash involved, not fatality,
14 back in the '70s to less than 2 percent now.

15 I think that's a very good indicator of cultural change.
16 It's just the sort of outcome measure that we're looking for. I
17 think that we, to a large extent, have MADD to thank for that
18 change. Their activities starting in the 1980s with enacting laws
19 at the state level, more than 200 laws passed in a little more
20 than a decade. The accumulation of those laws makes a very
21 powerful statement about community tolerance for that behavior and
22 I think that's largely responsible for the change.

23 MEMBER ROSEKIND: Great example. I'm going to keep
24 moving. Mayor Mulder, Representative Pauls, will you please say
25 something about the political will that's needed? There's been a

1 lot of talk about how we have to make this a priority, keep it
2 visible, make changes in law enforcement and legislatures. Talk
3 about the political will at, you know, the city or state level
4 that's required to really get a push forward on this.

5 MAYOR MULDER: Unfortunately, sometimes it's an
6 accident that raises that political strength. In the case of our
7 police department, one of our officers, Tim Sheehan, less than 5
8 years ago was parked, midnight shift, at a stoplight and was hit
9 by a speeding car and drunk driver. He's physically very impaired
10 at this point. He survived after a 90-day coma. And compliance
11 with the laws of not driving drunk is very near and dear to our
12 entire police department and there's 113 officers.

13 And I think, unfortunately, it didn't take that
14 incident, but they're a lot less likely to say we'll give you a
15 pass today. They write that ticket and send you to court, and
16 they're hoping to have an impact on this person to say, you know,
17 that was stupid.

18 REP. PAULS: Obviously accidents, other situations like
19 that, influence legislation, but I do think that a lot of your
20 states are not dissimilar to Kansas and that our laws were not
21 real consistent and were not applied equally as they should have
22 been in municipal courts as well as the regular district courts.

23 And the attitude has changed and that helps a lot. We
24 still joke that we have maybe one county in the state that you
25 might help your re-election odds if you got a DUI, but most

1 counties in the state that's not true.

2 And there's a big change in philosophy. Even the amount
3 of alcohol that's served at legislative receptions, I think, is
4 much different. We obviously don't have term limits in Kansas
5 because I've been in since '91, but I've seen a change in
6 philosophy even on what's accepted as far as drinking behavior at
7 different receptions, and people are real open about making sure
8 that certain people aren't driving if they've been at a couple of
9 receptions and such. I mean open within the legislators talking
10 to each other and getting keys and such.

11 So I think that change is good, but I think a lot of
12 places are like Kansas. Our laws, we chip away a little bit at
13 them each year and change things and we get a reaction back that
14 that didn't work, so we'd change it again, and we weren't leaving
15 laws really in effect long enough to see what had a good influence
16 and what didn't.

17 And I really would encourage states to look at doing the
18 commission. It was expensive in the sense of paying for mileage
19 and per diem for people attending it, but such a good investment
20 in the sense that we now have a group that's real invested with
21 those issues and will talk with their local legislators. If they
22 didn't know the legislators in their district they got acquainted.
23 It's just really made a big change in our culture.

24 And here we are at the end of a session in Kansas and
25 we're still trying to get some major changes to our DUI law that

1 we passed last year, and I think that shows how important the
2 issue has become. And it's not one of those things where you --
3 you don't get the importance due to a real sad tragedy that's
4 occurred, but people are starting to be aware of it.

5 And I think the culture's changing, too. One of the
6 prosecutors that talked yesterday was talking about there but for
7 the grace of God go I. I think things are changing. I think
8 there's a lot of people now that can say they've not been in
9 situation they could have been arrested for DUI. You know, maybe
10 they got in a car and started to drive somewhere and realized.

11 But I've always thought that was kind of bizarre anyway,
12 to personalize those kinds of things. I remember being in the
13 prosecutor's office sometimes people would be looking at a crime
14 involving incest and they would be saying oh, I looked at my child
15 today and thought how could I do that and I thought, you know, we
16 need to get away from those types of attitudes anyway.

17 But I think once people start -- are getting away from
18 the culture that oh, it's okay, it's accepted, everybody could
19 have gotten arrested for a DUI, everybody drinks and drives, I
20 think as we're getting away from that that's really a positive
21 change.

22 MEMBER ROSEKIND: And I just want to go -- Dr. Voas has
23 a comment here before we -- I don't know if it's about the culture
24 or the political will.

25 DR. VOAS: Your original question on culture, it's one

1 of those questions that we all say gee, I'm glad you asked that
2 question because it happens. We've just completed a study which
3 is relevant to that. We studied young people going to electronic
4 dance events. The age group is 18 to 34, which is defined by
5 NHTSA as the highest risk crash group, and we've determined their
6 decisions about driving by asking them who is the driver, who is
7 the passenger as they leave these events, and what we found was
8 that while half of the passengers were .05 or higher, only 20
9 percent of the drivers were, so right away we see something that's
10 happened in our culture.

11 We also ask do you have a designated driver and then we
12 measured the designated driver as they left, and they are lower
13 still than the passengers. And we also looked at drivers who
14 drove to the event, but decided not to drive home, and they had --
15 60 percent of them were .05 or over, so we see a decision being
16 made by people who are at risk. And then we have to ask the next
17 question, well, who substituted for them? In those individuals
18 only 20 percent were .05.

19 So we see in effect our cultural environment changing
20 where drivers are, in fact, at lower BAC and the designated
21 drivers are at lower BAC than regular drivers, and those who are
22 at high BAC are accepting someone else to drive, and the people
23 that substitute for them are at lower BAC.

24 MEMBER ROSEKIND: All right. Thank you.

25 CHAIRMAN HERSMAN: Member Weener.

1 MEMBER WEEFER: Well, thank you. Over the last 2 days
2 it's become clear that what we're really talking about is impaired
3 behavior due to drugs, but one of the drugs in particular,
4 alcohol, has been around for about 8,000 years in terms of
5 documented use, and a lot of technology and so forth has been
6 developed to handle alcohol impairment, so things like interlocks,
7 DADSS, testing processes and so forth, but we've also heard from
8 Director Kerlikowske that drugs are rapidly overtaking alcohol in
9 terms of significance in this regard.

10 So this is really a general question. What technology
11 do we need to develop in order to address the drug impairment,
12 drug driving, in the same manner that we have addressed alcohol
13 impairment. That's open to anybody on the panel. Dr. Michael?

14 DR. MICHAEL: We currently make about 1.5 million
15 arrests for drunk driving, for alcohol-impaired driving, across
16 the U.S. each year. I think that in order to approach those
17 levels for drug driving arrests that the criminal justice system
18 needs to be as familiar, as efficient and as streamlined as it is
19 for alcohol. It's a more complex problem. The technology is
20 going to be more complex. But I think the vision, and it is a
21 vision, I think the vision is to have drug-testing technology
22 which is as available, that is in the police station, as
23 defensible and as affordable for drugs as it is for alcohol. So
24 we need, in effect, evidentiary level drug testing devices that
25 are alongside or integrated with the alcohol devices.

1 MEMBER WEEFER: So, as I understand, we need to try to
2 mirror what we've got on the alcohol side. Anybody else have a
3 comment? Mr. Griffin.

4 MR. GRIFFIN: I have a comment. I would urge you to be
5 careful when you talk about looking at alcohol in terms of what
6 we've done because we still have a lot of work to do, and so when
7 you look at some of the countermeasures that are out there for
8 alcohol, and we're still losing almost 11,000 people a year, so
9 there's still a lot of, a lot of work to be done with regard to
10 the alcohol countermeasures.

11 MEMBER WEEFER: But to some extent we don't know out of
12 that 11,000 how many of those really involved drugs as well
13 because we stop testing once we find alcohol.

14 MR. GRIFFIN: That's true, but we do know that of those
15 11,000 that it's at .08 or higher, so in some respects -- you
16 know, if you're at .08 or higher and you've got a technology like
17 the DADSS or an interlock on your car that car's not going to
18 start, so that's going to prevent that impaired driving trip
19 whether they're on drugs or on alcohol.

20 MEMBER WEEFER: Jacqueline, you had a comment?

21 MS. HACKETT: One of the initiatives that the
22 administration has been supporting is a driving simulator that is
23 examining driving impairment as a result of marijuana use and the
24 combination of marijuana and alcohol use. I think we do need to
25 be very attentive to the poly-use issues that we are seeing on the

1 roads.

2 And I think also, sir, when you're citing your prior
3 data on the designated driver, you know, we might not have numbers
4 on this, but we're hearing that the designated driver isn't
5 drinking, they're choosing just to smoke or just to use
6 prescription drugs.

7 You know, some young people are under the impression
8 that if they're not drinking they can use other substances that
9 are safer for them when they're on the roads, that smoking
10 marijuana will make them a more cautious, slower driver. We know
11 these things aren't true and that's not getting through to the
12 young people who may be choosing to not use alcohol and to be the
13 designated driver.

14 But we do need to make sure that we are looking at this
15 poly-use component and the number of drivers on our roads who are
16 using alcohol and drugs, and hopefully this administration-
17 supported driving simulator can give us some concrete data on
18 that.

19 MEMBER WEEENER: From the perspective of your office, is
20 the drug driving, drugged impairment, increasing more rapidly than
21 we would see alcohol impairment?

22 MS. HACKETT: I'm not sure about the general numbers,
23 but I imagine our NHTSA colleagues would, but I think the fact
24 that one in eight high school seniors drove after smoking
25 marijuana in the 2 weeks prior to the Monitoring the Future Survey

1 is astonishing and that's something that we should all be aware
2 of. You know, an average graduating class might have 500 students.
3 How many of them are on the roads after smoking, on your roads, in
4 your communities, and how many lives are they putting at risk by
5 those choices?

6 MEMBER WEEFER: With that, thank you from my perspective
7 for all of your efforts and your candor today.

8 CHAIRMAN HERSMAN: Vice Chairman?

9 VICE CHAIRMAN HART: I'd like to commend the organizers
10 of this conference for bringing in an offender to be part of this
11 activity and that's one of things that I want to talk about. For
12 those of you who were here yesterday, you saw that Harold Dennis
13 is in the process of making a 25th anniversary documentary
14 regarding the Carrollton bus crash, and he mentioned that he's
15 trying to talk to the offender.

16 The offender served 10 years in prison and is now
17 basically a recluse, and I wonder how much do our public services
18 ads, which obviously have victim testimony and victim family
19 testimony, how much do have of offender testimony because it
20 destroyed his life, too. I mean he wakes up every morning
thinking I changed that fast the lives of 67 families and killed
22 27 people by what I did wrong, and so his life is destroyed, too.

23 And I think it's one thing to tell people you're going
24 to destroy other people's lives by what you do. I think it's
25 quite a different message to them you're going to destroy your own

1 life. So I commend the organizers of this for bringing Ms.
2 McMahon to tell us about how it affected her life.

3 And I would commend as we go into public service
4 announcements and other publicity to try to bring attention to
5 this, put that message in there, too, you're going to destroy your
6 own life, not just a bunch of other lives, you're going to destroy
7 your own life as well.

8 One of the things that was brought to mind, changing the
9 subject, by Mr. Talpins' comment was on the cost benefit and I
10 wonder -- you heard a lot about the resources involved with vans
11 like the BAT Mobile that we saw up there today and with the
12 checkpoints and things like that, but what we didn't hear was the
13 balance of how much is saved by the deterrent effect of people who
14 aren't going to be going through the system now because of this
15 deterrent and I just wondered do any of you have any comments on
16 the cost benefit aspects of things like these vans and things like
17 the checkpoints because we're just not hearing about that. I have
18 to think there's probably a positive story to tell. We're just
19 not -- I'm just not hearing that. Does anybody have any comments
20 on that? Mr. Nelson.

21 MR. NELSON: I don't have the answer for you, but I can
22 just tell you that the Centers for Disease Control and Prevention
23 and the National Center for Injury Prevention and Control has
24 looked at that very issue and found very little information
25 available, and so it's one of their stated research needs for the

1 research community to consider, so I'm not sure that those data
2 exit.

3 VICE CHAIRMAN HART: Yes, Mr. Talpins?

4 MR. TALPINS: I can tell you that years ago I saw data
5 that the average traffic fatality costs about a million dollars in
6 this country by the time you add the investigative costs, the cost
7 of incarceration, the medical costs and everything else that goes
8 along with it. So as we deter this kind of conduct and reduce the
9 number of fatalities obviously it's going to save society a lot of
10 money.

11 One thing that I would also throw out there which has
12 not been mentioned and has always greatly disturbed me when we
13 look at traffic crashes in general, but DUI crashes in particular,
14 is we tend to focus on the fatality numbers. The reality is for
15 every one person who's killed there's between 30 and 50, depending
16 on which statistics you want to by, between 30 and 50 people who
17 are injured, many of whom very seriously.

18 And I think we're remiss when we discuss the problem in
19 terms of the 10,000 instead of the hundreds of thousands, so I
20 just thought I would throw that out there because not only are you
21 saving money by reducing fatalities, but you're also saving money
22 and, frankly, saving lives by addressing the people who would be
23 hurt as well.

24 VICE CHAIRMAN HART: Thank you. Anybody else commenting
25 on that? Yes, Mayor Mulder?

1 MAYOR MULDER: I'm looking at some of the statistics
2 that the police department did and the last three years we have had
3 decline of DUIs and what happens if it results in an accident,
4 road closures. People are rerouted. People are late to work.
5 And it would be an interesting question.

6 I don't know how you could ever capture that, but I'm
7 sure there's a great deal of financial loss if someone ever was
8 able to track it, but I don't think it's measurable. There's not
9 one unit that would be able to compile it all, but obviously they
10 were talking about all the postponements and you're taking
11 officers off the street that cannot respond to a robbery or
12 something like that.

13 VICE CHAIRMAN HART: Okay. Thank you. Yes, Mr.
14 Griffin?

15 MR. GRIFFIN: As part of our fifth anniversary of our
16 campaign to eliminate drunk driving which was held last fall we
17 had Ted Miller from the Pacific Institute for Research take a look
18 at what the actual cost of drunk driving was in the United States,
19 and he came up with a number of \$132 billion a year. So when we
20 talk about things at MADD like ignition interlocks, when we talk
21 about things like the DADSS program, and we have legislation
22 that's pending that would authorize federal dollars for that, we
23 feel like the \$12 million that's in that authorization bill is a
24 drop in the bucket and is a really good return on taxpayer
25 investment for what the total cost of the drunk driving problem

1 is.

2 VICE CHAIRMAN HART: Okay. Thank you. That's very
3 helpful. Just one point I'd like to throw out there and that is
4 my experience with aviation which is what I'm most familiar with.
5 In aviation they deal with this issue by having the same two kinds
6 of things. If you're under the influence, then that's a
7 violation, if you're above a certain BAC, and in that case it's
8 .04, but they have a third one that I haven't heard anybody talk
9 about and that is you can't consume alcohol within -- in less than
10 8 hours before you go fly an airplane, and I just throw that out.

11 That would be a huge difference for this community, but
12 I just throw that out as one of the reasons why the program is as
13 successful as it is in aviation, because they have not only the
14 two that we see in driving, but also the third one which is no
15 consumption within 8 hours, bottle to throttle as they say.

16 Thank you again to everybody for coming. I appreciate
17 all the help you've give us to address this difficult problem.

18 CHAIRMAN HERSMAN: Dr. Taylor was on an earlier panel
19 for those of you who've been here the whole time and he talked
20 about the advertising that was done, and I wonder if anyone on the
21 panel here can talk about what the advertising budget for alcohol
22 is. Ms. Hackett, I know that they don't have a good advertising
23 budget for illegal drugs, so I won't ask you the same question.
24 Mr. Blackman, Mr. Bodnovich, Ms. Saunders, anyone?

25 MR. BODNOVICH: I can speak for retailers who aside from

1 advertising their weekly specials in the newspaper or potentially,
2 you know, an event at a on-premise establishment beyond that don't
3 particularly advertise. That's largely the extent of that from
4 the retail perspective.

5 CHAIRMAN HERSMAN: And so on the retail side do you know
6 what they're spending?

7 MR. BODNOVICH: I can look into it for you. I don't off
8 the top of my head.

9 CHAIRMAN HERSMAN: Sure. Mr. Blackman?

10 MR. BLACKMAN: I don't know. Number one, the Century
11 Council membership is only a subset of all of the distilled
12 spirits producers. But, number two, the Century Council's
13 mission, which is fighting drunk driving and underage drinking, is
14 not keeping track of the commercial expenditures in terms of
15 advertising or promotion or things of that nature, so I'm afraid I
16 don't know.

17 CHAIRMAN HERSMAN: Okay. So with your focus on fighting
18 underage drinking I'm looking at some statistics from the Center
19 on Alcohol, Marketing and Youth from Georgetown University, and
20 they've identified that exposure to alcohol advertising on
21 television in the U.S. increased by 41 percent for youth between
22 2001 and 2005. Looking at radio advertising, more than two-thirds
23 of the youth exposure to alcohol advertising came from ads placed
24 on youth-oriented programming. Is this an issue if we're trying
25 to fight underage drinking, that people that maybe were in Ms.

1 McMahon's position are targeted to think that's a good idea?

2 MS. McMAHON: I think that it doesn't help. Also now you
3 see all these different types alcoholic drinks and I think that
4 it's targeted for kids. For example, you know, cotton candy
5 vodka. Whether, you know, you are old enough to realize it or
6 not, cotton candy is really good, and for someone who is young,
7 especially maybe someone who is maybe going to put themselves in a
8 position to indulge in drinking alcohol, that looks very
9 appealing.

10 So in terms of you know, they have whipped cream and,
11 you know, whipped cream vodka, but they also have whipped cream
12 that has vodka in it. So, you know, that's something else as a
13 young person, you know, you use to put on your sundae, but now
14 it's, you know, been taken over by, you know, alcohol.

15 So I think in terms of advertising, that's really a
16 target to young kids because, like I said, you know, having those
17 delicious flavors and all these things, watermelon vodka and all
18 that stuff, it's appealing to a younger audience.

19 CHAIRMAN HERSMAN: Mr. Nelson?

20 MR. NELSON: I just wanted to make a comment of an
21 observation just from a public health communication standpoint
22 that in a lot of the advertising that I have seen for the alcohol
23 industry a common message is drink responsibly instead of drive
24 only while drug and alcohol free or don't drink and drive, and
25 it's a subtle difference, but I think it's important. It's

1 something that I would encourage the industry to consider.

2 CHAIRMAN HERSMAN: Ms. Saunders?

3 MS. SAUNDERS: If I could make just a brief comment. I
4 do not have the actual numbers, although I can get them, for the
5 malt beverage industry in terms of how much is spent on
6 advertising. Our members clearly do advertise and they advertise
7 a lot, but it might be useful for you to know two facts.

8 First, there is a voluntary industry code that our
9 members follow with respect to their advertising and one critical
10 element of that code is the placement of advertisements, and our
11 advertisements are to be directed to adults of legal drinking age.
12 In making the media selections they follow a standard established
13 by the U.S. census, the every 10-year census, and that standard is
14 that they will not advertise on programs unless the measurement of
15 the audience is consistent with the measurement of the adult
16 population in this country.

17 The last census figures that came out just about a year
18 ago show that the population of adults 21 and older in this
19 country is 71.6 percent, meaning that 71.6 percent of the people
20 in this country are over 21, and so the media selections they make
21 use that number as the base. They only advertise in those outlets
22 where there is demonstrated evidence that the audience is 71.6
23 percent or higher legal drinking age.

24 The second thing that might be useful for you to know is
25 that the Federal Trade Commission has done a regular study of

1 advertising in the alcohol industry. There is one going on right
2 now. They select 14 different companies, some distilled spirits,
3 some wine, some malt beverage, and those companies provide
4 information about their advertising, not only where they
5 advertise, but the particular target audiences. And there is a
6 study going on right now that involves the collection of that
7 data. The data is due to the Federal Trade Commission in June and
8 there was a *Federal Register* notice with very detailed information
9 about what they're asking for from the companies.

10 The studies that have been done in the past have found
11 fairly consistently that, just as the distilled spirits companies
12 follow their code, the beer companies follow the code that we have
13 adopted on behalf of the Beer Institute, the same for the wine
14 companies.

15 CHAIRMAN HERSMAN: Member Sumwalt?

16 MEMBER SUMWALT: Great. I think this has certainly been
17 a great 2 days here, a lot of great information, a lot of great
18 dialogue, and an all star cast of panelists who've been with us,
19 40 panelists over the last 2 days, so thank you all for your
20 participation.

21 You know, I've tried to think about what have I
22 synthesized in the last 2 days and I've sort of got some bullet
23 points and I'm just going to go over them.

24 I think yesterday at the very beginning we heard that
25 any amount of alcohol or drugs is impairing and the fact that .08

1 is there, that's just an arbitrary number that was decided through
2 more or less the political system, and, again it's not just a 0 or
3 a 1, it's -- you can be impaired anywhere between 0, 0.0, and 0.8,
4 and anywhere in there, so it's not just like if you're .05 you're
5 not impaired. Impairment comes anywhere in there.

6 Another thing that I've synthesized from this is that
7 there really are -- and I've known this, but it's just shown it
8 once again, that there really are a lot of dedicated people and
9 organizations working on this problem. There is no single
10 solution. There's no magic bullet. And the problems and the
11 solutions are not monolithic. We need the laws. Enforcement and
12 the sanctions must be certain, swift and severe. We heard that a
13 couple of times yesterday.

14 We need good public education programs, good laws and
15 strong visible enforcement, but we need something else and we
16 talked about that today and that is the technology. Like so many
17 of the issues that we're facing these days in the transportation
18 community, we're learning that there's great promise for
19 technology, and right now we do have ignition interlocks. That's
20 technology that is available. But somewhere down the road we've
21 got -- the DADDSS looks really promising. We saw two versions of
22 that today.

23 And just in thinking through this at lunch, you know, my
24 wife's car, your car, Madam Chairman, you're not my wife, but your
25 car, I suspect, has a push button. My wife's car has a push

1 button to start it. A lot of cars have that these days, so it can
2 be transparent to the user. It's built into the car. You go to
3 start your car and there is it, you push the push button. It
4 detects whether or not you've got alcohol or drugs in your system
5 through some means or another, and that will be transparent just
6 like we've got airbags in our cars right now. We don't know
7 they're there, but they are there to protect us. The
8 manufacturers have built them in and that offers a lot of promise.
9 Dr. Michael said the technology offers an efficient solution to a
10 complex problem.

11 I think the focus, and I want the NTSB to hear this
12 because I think that we've been focusing on the hardcore drinking
13 drivers, that is an issue, but there's a lot more out there, so
14 it's part of the problem, but I want our focus to be all impaired
15 driving and not just hardcore drinking driving because that has
16 been a focus area.

17 Another thing that was made a point of is that impaired
18 driving is not just a ticket, it's a crime, and we need a societal
19 change towards that criminal behavior. We heard earlier that
20 there has been that societal change, but I think some of it has
21 changed, but not for everybody. If it had changed with everybody
22 we wouldn't still be here today. So we've got a lot more to go
23 there. So all of these things together, the technology, the
24 enforcement, the education, enforcement, the laws, all of it's
25 going to work together. I've picked up on the fact that drug

1 driving is definitely a problem and it is increasing. A lot of my
2 focus has been on the drunk driving, but drug driving is
3 definitely an issue.

4 We've heard that we need greater use of DUI checkpoints,
5 and one thing, and probably the biggest point for the 2 days for
6 me as an NTSB Board member, is that the NTSB does have a role in
7 solving this problem. We have the bully pulpit and we must do
8 something with it. We have been doing something, but we need to
9 do more.

10 And I don't want this forum to be just a standalone
11 where it's a standalone forum where the chairman throws the gavel
12 down and we walk out of here and we say well, that was great. I
13 want this to be the springboard for a renewed focus on this
14 problem for all of us. I know that we're all focused on the
15 problem, but I want additional focus on it for the participants,
16 for the staff and for my colleagues on the Board.

17 And, in closing, I want to thank the staff for all of
18 your great work. I know these things don't just happen. The
19 briefing book, as I've told Stephanie and Danielle, the briefing
20 book's been great. Member Rosekind, I want to thank you for your
21 leadership. This is your advocacy area. Thank you. This is a
22 great idea. And, Madam Chairman, as always I want to thank you
23 for your leadership in allowing us to move forward with this and I
24 look forward to joining with us all as we really charge out of
25 here and really work together to be a part of the solution. Thank

1 you, Madam Chairman.

2 CHAIRMAN HERSMAN: Member Weener.

3 MEMBER WEENER: No questions.

4 CHAIRMAN HERSMAN: Member Rosekind.

5 MEMBER ROSEKIND: Well, I'm going to start by saying,
6 you know, I'm so pleased. Member Sumwalt's going to be the first
7 visit we have after the forum to talk about all the concrete stuff
8 we're going to do to make sure we get real product out of this
9 meeting, so you'll be the first visit to make sure we move that
10 forward.

11 I also want to acknowledge -- Mr. Talpins, I think,
12 actually asked a question about besides lives lost which are
13 critical what are other measures we need to look at. You were one
14 of the first folks to rally bring up, you know, for the 10,220
15 lives that are lost you're looking at 300- to 500,000 depending on
16 the numbers of people injured and those lives and those families
17 are changed as well. And I think the other part that you
18 mentioned that people are talking about is that there's a big
19 economic issue here, whether it's about paying for programs and
20 the other things. And, you know, when you talk about how do we
21 get the police officers out there those are fiscal issues, you
22 know, and they've got to be part of the discussion of things.

23 So I have just two quick things I was interested in.
24 One is, Dr. Michael, I think you're the first one of people who
25 have talked about this who actually explicitly said there's near-

1 term and there's long-term. You kind of talked around that, but
2 I'm kind of curious from the group that's here if you had to
3 identify the near-term strategies that you think pretty much
4 everyone would agree you need to get done now what would those be?
5 I have Member Sumwalt on record, so I'm just curious. Please.

6 DR. MICHAEL: One of the items for the highway safety
7 offices across this country, state and territories, is that
8 there's funds that come to us that are in the impaired driving
9 area. And something that some states have put in place is that if
10 you're an officer involved in receiving overtime to go out and do
11 an impaired driving enforcement, you will have had the basic field
12 sobriety testing battery or an update within the prior 36 months
13 so that when you are dealing with the prosecutor and you're
14 dealing with the Court you know what you are doing.

15 If you're using passive sensors or you're using a
16 camera, if you understand how that equipment works, how to put on
17 record appropriate information of what you saw as probable cause
18 and as you walked through the stop with that person that you've
19 pulled over to the side of the road so that when something does
20 occur it's brought forward fully and completely and we don't end
21 up with gaps and stops and hiccups because the officers that are
22 out there putting their lives on the line day in and day out are
23 doing the right job and doing it well.

24 MEMBER ROSEKIND: Mr. Blackman.

25 MR. BLACKMAN: I would say that in the near-term it's

1 really what we've called judicial education. Whether you're
2 reacting to somebody who had 13 offenses or whether you're
3 reacting to somebody who has a first offense and was dealt with in
4 either a too harsh or too lenient manner that tends to happen in
5 the courtroom. The judge is not in their by themselves. There's
6 a prosecutor, there's a defense attorney, there's a policeman,
7 there's a whole line of people, but at the end of the day we find
8 that judges really are looking for opportunities to learn more
9 about what's going on outside of their own little courtroom and
10 microcosm of their community and many judges, in fact, don't
11 really know what the most up-to-date science is, don't really know
12 what's the most up-to-date information in the evaluation of
13 techniques and tactics and opportunities that are out there in
14 their courtrooms, whether it's 24/7 from South Dakota or whether
15 it's the right use of interlocks along with treatment
16 opportunities that tie together and can achieve what we're all
17 looking for. So, for us, my perspective would be, again, in the
18 near-term as well as long-term. It's really judicial education.

19 MEMBER ROSEKIND: I have another question I'm going to
20 ask, so you get a moment, but keep that attorney piece in check.
21 Go for it.

22 MR. TALPINS: It's always very hard to do. DADSS has
23 great long-term potential, particularly with regard to alcohol.
24 I'm very skeptical that they'll be able to design a system to test
25 for drugs. As Bob DuPont explained, there's just so many and

1 behavioral cues are way too soft for that kind of an application I
2 my mind, so let me focus for a second on the soft-term solutions
3 or the short-term solutions. Excuse me.

4 One is, again, identifying everybody who is a drugged
5 driver. In Miami we're planning on setting the example for the
6 country by testing every single person who's arrested for DUI
7 regardless of their BAC. I think that is an absolutely critical
8 step. It's something we're going to prove is cost-effective by
9 using screening tools at roadside in Miami and I would encourage
10 the Board to keep up with what we're doing in GHSA in particular
11 as well so that we can expand it nationally.

12 MEMBER ROSEKIND: That was it, which is why --

13 MR. TALPINS: I quit.

14 MEMBER RISEKIND: That's right. I just -- the last
15 question I want to ask is it's been so informative over the last
16 couple of days and I'm kind of wondering in your experience in
17 your heads out there is there a need for some big, bold, new
18 innovation -- that was the near-term stuff. Is there a need for
19 some big, bold, real innovations and changes in any area,
20 technology, any area, and I'm done with my questions, so I'm
21 curious from you what have you got out there, what's new and
22 different that people could be talking about for Mr. Griffin and
23 the mayor.

24 MR. GRIFFIN: Just real quickly, I mean what could be
25 bigger and bolder than DADDS, what could be bigger and bolder than

1 a car that can detect whether or not the driver is drunk and would
2 stop that driver from getting behind the wheel, and I would again
3 in my testimony, but again urge the Board to get behind this
4 technology. Member Rosekind, you've been excellent on this issue.
5 You've gone up to the lab. You've seen the technology firsthand.
6 I would encourage the Board to get behind it and also to support
7 the federal legislation to the extent that it can to get this
8 program authorized and let's get this technology developed so that
9 it doesn't take as long to get it out on the street. I know -- I
10 think from MADD's point of view every parent in America's going to
11 want this technology. And we just really see the short-term
12 ignition interlocks and the long-term DADDS and we think we can
13 eliminate drunk driving in America.

14 MAYOR MULDER: Pharmacists came up yesterday. In
15 talking to my prosecutor just a day ago he -- I told him I was
16 coming here and he said well, you better tell them about the new
17 thing and it's drugs and it's different chemicals, that the kids
18 are fearless.

19 And two things and I'll be very brief. One is the
20 favorite kind of party is everyone's supposed to bring some kind
21 of pill and they throw it in a bowl and everybody -- you know, and
22 everybody takes one and they have no idea and they kind of wait
23 around and see what's going to happen. They're stealing them by
24 going to visit homes and they want to go to the bathroom. They go
25 and open somebody's medicine cabinet, pull out a pill so they have

1 something to take to the party, number one.

2 Number two is the alcohol found in the hand sanitizer.

3 He told me everybody's mixing it with fruit juice, orange juice,
4 grape juice, and they're drinking it. Kids are so innovative, but
5 frightening so because they have no idea how their body's going to
6 react to it.

7 MEMBER ROSEKIND: Okay. Thank you again, everybody, for
8 your candor and thoughtfulness.

9 CHAIRMAN HERSMAN: Vice Chairman.

10 VICE CHAIRMAN HART: I just have one more question I'd
11 like to throw out there. In the last 2 days we've been hearing
12 we're stuck on a plateau, if we keep doing what we've been doing
13 we're going to keep getting what we've been getting, so what can
14 we think of out of the box which is just what Member Rosekind said
15 again, and I've been fascinated in the last 2 days to hear some
16 out of the box thinking, but one of the things I want to do is
17 commend Representative Pauls for bringing that collaborative
18 approach to the table and I want to just give an example that in
19 the airline industry, again in my expertise in aviation, the
20 aviation community in the mid-90s was very concerned that their
21 accident rate was going to be shocking to the public because the
22 accident rate was stuck on a plateau, but the volume estimates
23 were that the volume of flying was going to double in the next 10
24 or 15 years and the concern was if the simple arithmetic tells you
25 if a flat rate times a double in volume happens then the public is

1 going to see crashed airplanes on CNN twice as much and that's
2 very, very scary to that industry.

3 So they said we have to do something out of the box to
4 get off this plateau and what they did was a collaborative
5 approach where they brought together everybody who's got a dog in
6 the fight to work out these problems, to identify, to prioritize,
7 to solve and evaluate these solutions and it was an amazing
8 success story because in only 10 years they reduced their fatal
9 rate from what was already considered exemplary, they reduced it
10 by another 65 percent in only 10 years.

11 So my question to you is -- I mean that was an amazing
12 collaborative example, Representative Pauls, that you brought to
13 us to tell how everybody who had a dog in the fight got together
14 to identify, prioritize and solve and evaluate, and I would put
15 that out to all of you. First, if you have any more comments,
16 Representative Paul, I'll throw that out to you. Do you any of
17 you know of any other examples of that collaboration because
18 that's -- because when everybody who's got a dog in the fight is
19 there then what comes out of that process everybody's got an
20 ownership interest in and they do it willingly and it works. So I
21 throw that out again.

22 REP. PAULS: I'd like to say one thing that we found
23 which was interesting, the first time interlock, the reason we
24 think ours is working so well is that we removed it from the
25 courts. It's an administrative procedure now. And that took the

1 pressure off the courts. They had been able to use that as a
2 sentencing tool for years and years. Nobody hardly used it. And
3 so now it's part of the administrative process. Of course, there
4 is the appeal process and such that can still deal with that, but
5 that's a major change we found and it was interesting because it
6 was accepted without really any discussion. I think the judges
7 were probably glad to get out of the middle of that because if
8 they didn't order interlock and someone had another crash then
9 they looked bad, but if did he order interlock then there's a lot
10 of discussion about costs, hardships on the family, et cetera.

11 VICE CHAIRMAN HART: Right.

12 REP. PAULS: And so in a way that first time interlock
13 saved us all the additional costs we would have had on educating
14 judges on patterns of addiction and educating prosecutors, et
15 cetera, when they asked for it. We just mandated it in effect.

16 VICE CHAIRMAN HART: And that was one of the outcomes of
17 your collaborative approach?

18 REP. PAULS: Right, right, in the DUI Commission. So
19 the main reason we started the commission was because we were
20 looking at having to build a new prison because we wanted to put
21 fourth and fifth time DUI violators into a prison with treatment.
22 And then as we were discussing it we found a lot of those people
23 had never had any adequate treatment along the line. So then we
24 thought instead of waiting to ruin someone's -- have a death that
25 ruins a life and then ruin the driver's life also why not start

1 doing an earlier intervention.

2 So we kind of had thought initially maybe part of the
3 DUI Commission would be a strong recommendation for a separate
4 prison, adult only with alcohol violations or drug violations, but
5 we changed our focus.

6 VICE CHAIRMAN HART: Well, thank you. And, by the way,
7 I would note that in aviation it was the same as she described,
8 that these parties had competing interests and it was hard to get
9 them in the sandbox together and they were -- you know, just like
10 she described it, they finally realized that if we do this
11 together better it could be a win/win as opposed to protecting our
12 own silo. Yes, Mr. Blackman?

13 MR. BLACKMAN: Well, only a comment on your lead-in
14 which is there has been no representative from the automotive
15 industry here, so all the things that we're talking about doing to
16 cars, with cars, involving cars, in fact, the automobile industry
17 doesn't seem represented and, quite frankly, often don't get
18 involved.

19 VICE CHAIRMAN HART: Mr. Costales.

20 MR. COSTALES: Two very quick examples to your question.
21 First, the state of Hawaii brought together a task force and
22 that's how they went forward with their ignition interlock law and
23 it included all of the counties, all of the islands and people
24 together to move forward.

25 Secondly, in the state of Oregon we've had the

1 Governor's Advisory Committee on DUI since 1983 and they've worked
2 through multiple issues, the most recent with medical marijuana in
3 our state. Officers were seeing people on the side of the road
4 pulling out a medical marijuana card saying this is my license,
5 you know, my get out of jail free ticket, card, and the officer
6 would say no, that doesn't give you the right to drive under the
7 influence. And so the Medical Marijuana Program director came
8 into that group and they now have changed what it says on the card
9 and what it says on the application, that this does not give you
10 the right to be under the influence of medical marijuana and be
11 behind the wheel of a vehicle.

12 VICE CHAIRMAN HART: Thank you. Mr. Griffin.

13 MR. GRIFFIN: Yeah. I just wanted to take issue with
14 Mr. Blackman's comment. I think that the auto industry actually
15 were represented here earlier today by Bud Zaouk who's part of the
16 Automotive Coalition for Traffic Safety in the DADSS Program, and
17 I think the auto companies have done a good job. They're the ones
18 who've ponied up \$5 million for the Cooperative Research Agreement
19 for a problem that, frankly, Mr. Blackman, their companies didn't
20 create. The companies that fund groups like the Century Council
21 did create that problem, so I take issue with that and just wanted
22 to respond to that. Thank you.

23 VICE CHAIRMAN HART: And Ms. McMahon, and then my time
24 is out, so I don't know if I can -- Ms. McMahon?

25 MS. McMAHON: I'm sorry. I just wanted to make a

1 comment to treatment. I think that it would be a lot more
2 effective, especially for youth. And part of being an outpatient
3 I was put into a room with people that are similar to the ages of
4 all of you around me and they really just had a video from the
5 1950s, you know, with the purple elephant in the room and it was
6 what are your triggers; see you later, goodbye. So I think that
7 personally that was why in terms of treatment it wasn't effective
8 for me. Had I been in an area where I was with offenders like
9 myself, with people who are maybe of my age, it would have been a
10 lot more effective, so --

11 VICE CHAIRMAN HART: One more. Yes, Mr. Nelson, and
12 then I'm through.

13 MR. NELSON: Sure. I just wanted to make you all aware
14 that AAA is in the middle of the development process of pulling
15 together sort of an advocacy toolkit for our clubs to create
16 basically what has happened in a handful of other states including
17 the names of people within the state that should be invited to
18 that first meeting and an analysis of the loopholes that exist in
19 that state to provide a starting point for that group for their
20 first meeting, so we recognize that it is an opportunity, too, and
21 we're in the process of trying to do something about it.

22 VICE CHAIRMAN HART: Thank you very much.

23 CHAIRMAN HERSMAN: I wanted to follow up and,
24 Mr. Blackman, I think we want to give everybody a chance to
25 respond. I saw your hand was up. We're doing okay on time.

1 MR. BLACKMAN: Well, I have two issues I'd like to
2 discuss. First of all, I didn't get a chance to respond to your
3 own question about advertising and its influence on underage
4 drinking and I would just make two quick points which is that
5 during the time period, for a long time period that you're talking
6 about in terms of increased advertising, in fact, underage
7 drinking measured by 12th grade, by 10th grade and by 8th grade
8 have all gone down. So there is always analysis to be done, but
9 on the face of it I'm not sure that you can correlate increased
10 advertising with underage drinking because they're going in
11 opposite directions.

12 Number two, in both our research as well as research
13 that's been done for more than 10 years in terms of the leading
14 influence on youth's decisions to drink or not to drink, the
15 leading influence is always an overwhelmingly parents. And often
16 then, depending on age followed by peers, that influence that they
17 see in the media or see in advertising is very, very, very, very
18 far down the list.

19 Secondly, I don't think that you brought everybody
20 together to harp on each other and where there are disagreements
21 there may be disagreements. The same question that you asked
22 regarding advertising and underage drinking, you know, my sense is
23 that the automobile industry's advertising budget is much larger
24 than the \$5 million that they've put into this effort over the
25 course of 3 years, I believe. But, again, whatever everybody

1 does, everybody does because they believe it's a priority to them
2 or it's not a priority to them and everybody makes their own
3 decisions. So I didn't come here to engage in that kind of
4 conduct, and so I appreciate you at least recognizing me.

5 CHAIRMAN HERSMAN: Okay. And so let me begin my
6 questions. I'm sorry. I thought you were trying to respond to
7 the Vice Chairman, but that's okay.

8 You made a statement in your opening talking about
9 technology. I think you said solution du jour or something like
10 that. I may have paraphrased you incorrectly.

11 MR. BLACKMAN: That's exactly correct.

12 CHAIRMAN HERSMAN: I just wanted to understand. And
13 also Mr. Bodnovich who's sitting next to you I think said
14 technology alone, even if mandated by the government, won't be
15 effective if --

16 MR. BODNOVICH: In the long-term if implementation rates
17 remain as low as they are today. It's not being used.

18 CHAIRMAN HERSMAN: Yeah. Okay. So I wanted to ask both
19 of you just because I'm not exactly sure when you talk about
20 technology what did you mean by that when you say that the
21 technology is the solution du jour, that it won't be effective?
22 What technology are you talking about?

23 MR. BLACKMAN: I'm talking about technology overall.
24 The fact of the matter is that --

25 CHAIRMAN HERSMAN: Can you be specific?

1 MR. BLACKMAN: Sure. Well -- sure.

2 CHAIRMAN HERSMAN: I'm not trying to be dense. I just
3 wanted --

4 MR. BLACKMAN: No, no, no, no, and there's a variety of
5 technologies. The 24/7 Scram, the ignition interlock, home
6 monitoring, DADDS. There's a variety of technologies that are out
7 there.

8 We actually provided the state attorney general in South
9 Dakota with the seed money to begin the 24/7 Program up there.
10 The use of technology as a solution and as the solution du jour,
11 as that's the answer to all of our problems, I'm not talking about
12 a specific-- excuse me, of all of them, it seems as if, you know,
13 a few years before that it was we're going to take the license
14 plates away, a few years before that we're going to impound the
15 cars, and there does seem to be over time a sort of solution du
16 jour as drunk driving sort of moves from phase to phase to phase
17 and over the last period in our opinion it's been technology,
18 technology that we support, not being critical of it, but only
19 being critical of its overwhelming only one single solution
20 potential.

21 In fact, we do support the DADDS program. We have
22 supported Senator Udall since the beginning of that program. We
23 supported it when we were a member of the campaign to eliminate
24 drunk driving before we were released from that commitment, so --

25 CHAIRMAN HERSMAN: Okay. So it sounded like you support

1 the technology. You're not specifically saying that technology
2 doesn't work, but you're saying all the other pieces have to be
3 there, too.

4 MR. BLACKMAN: That's correct.

5 CHAIRMAN HERSMAN: Okay. So --

6 MR. BLACKMAN: We're suggesting it's not an only
7 solution.

8 CHAIRMAN HERSMAN: Okay. Is there anything specific
9 about the technology that you think is a problem because I think
10 from our perspective we're trying to figure out what's next, what
11 do we need to address, what -- you know, what's on the horizon
12 because I think a lot of people understand what's working now, so
13 if it's not working that's what I'm interested in.

14 MR. BLACKMAN: I think it's conditional, as I said also.
15 It's not that it's not working, it's not that -- it doesn't solve
16 the problem by itself. So, for instance, when you look at the
17 ignition interlock, the ignition interlock tied with treatment
18 opportunities and assessment opportunities may, in fact, bring
19 about that behavioral change which is more than just the interlock
20 on a car for a certain period of time. Then, of course, the data
21 suggests that once that interlock is off some people begin to
22 recidivate again. So what we're suggesting is that the
23 technology, valuable, productive, effective, but not the ultimate
24 end solution by itself.

25 CHAIRMAN HERSMAN: Mr. Bodnovich, is that about the same

1 sense that you had?

2 MR. BODNOVICH: Well, I don't know if I can be quite as
3 eloquent as Ralph, but yes, he hits on some major points. Member
4 Rosekind talked about the fiscal issue. I think that that's a
5 concern. You know, if you pass along you require something, but
6 there's no implementation, there's no enforcement, I don't know
7 what kind of good that does in the long term.

8 We hear about mayors who have to cut budgets for police
9 officers. We talked to the people at the American Parole and
10 Probation Association about the costs of -- on what it would mean
11 in terms of resources for their members and for people who are
12 charged with monitoring and enforcing these individuals.

13 I'm not -- you know, we're not Luddites. It's not a
14 matter of, you know, technology is bad. I just think it needs a
15 reasonable application, and in some states they've made that work
16 with all offender interlock legislation. I think in other places
17 people are taking a look at the budgets, they're taking a look at
18 their resources, and they're also incorporating in all these other
19 things we've discussed, whether it's looking at an offender's
20 profile, are they on their eleventh offense -- still to this day I
21 don't know how that happens -- or is it a first offense? What
22 profile do they fit? What is the proper treatment and what is the
23 proper assessment; what is the proper sentencing that's going to,
24 again, have a long-term impact?

25 CHAIRMAN HERSMAN: Okay. So not necessarily a specific

1 problem with the technology, just more that it's a part of the --

2 MR. BODNOVICH: I think it's part of the solution and we
3 also have to be mindful of its application, it's implementation,
4 and the follow-up with it. Again --

5 CHAIRMAN HERSMAN: Okay. So yesterday we heard one of
6 the panelists talk about that some people actually might need
7 really long-term or even lifetime monitoring because I think
8 that's one of the challenges with the ignition interlocks, they go
9 away after a period of time, and we've also seen people try to
10 work around them.

11 I just gave a speech last month where I recognized that
12 there was a previous arrest for someone who actually had killed
13 some folks and they had an Ignition Interlock Order, but they
14 transferred title of their car to someone else to avoid the
15 interlock and then 2 years later they had an accident in that
16 vehicle and killed somebody, so I recognize it's not foolproof,
17 but when you look at people who are hardcore recidivists, have a
18 problem, do you agree that maybe some people need long-term or
19 potentially lifetime?

20 MR. BODNOVICH: I would agree with that. I think there
21 are some people that don't need to ever visit any of my members'
22 establishments, and I think that's about as strong a statement as
23 I can make when it comes to people I represent in their
24 businesses. I think there's definitely recognition that there are
25 individuals who are better off without any -- using alcohol at

1 all.

2 CHAIRMAN HERSMAN: Okay. The staff have some additional
3 questions, so we'll go to the staff before we close.

4 MR. BLACKISTONE: Thank you, Madam Chairman. Yes, I
5 have one question. I believe Ms. Roeber and Ms. Davis each have
6 one question.

7 I wanted to follow up on the question that I asked Mr.
8 Griffin, and this goes somewhat to the questions you were asking,
9 Madam Chairman, regarding what happens after the ignition
10 interlock. I had asked him what additional steps are needed to
11 ensure that the behavioral change lasts after the interlock was
12 removed, but I didn't have an opportunity to ask other people on
13 the panel what their thoughts are about that. What beyond
14 ignition interlocks needs to be done, what should agencies be
15 doing to make sure that behavior changes once that period is up?
16 Mr. Nelson. I'm sorry.

17 MR. NELSON: I mean, I actually agree that for somebody
18 who's been through a screening to determine whether or not they
19 have a problem with alcohol or with their substance of choice that
20 pairing monitoring or an interlock with treatment and actually
21 providing data from the interlock, for example, to the treatment
22 provider can help them identify and ask the right questions to
23 help make their treatment more effective. I'll stop there. If
24 you have more questions I'm happy to answer.

25 MR. BLACKISTONE: Mr. Talpins and then Ms. McMahon.

1 MR. TALPINS: I love the interlock device. It's a
2 wonderful device. But what it does, it separates drinking from
3 driving. If we made cars that were able to determine whether or
4 not somebody was impaired and it ended drunk driving, but still
5 had 300 million alcoholics in this country I would not consider
6 that a complete victory or even close because my interest extends
7 to all of criminal justice and also public health.

8 The reality is when interlock devices come off all of
9 the research I've seen with one exception shows that people return
10 to exactly what they were doing before, so the question's a great
11 question. The hole is filled by appropriate screening and
12 assessment either through the assessment tools that Ms. McMahon
13 went through, but also through the use of objective scientific
14 technology like continuous alcohol monitors, twice daily breath
15 testing, at home breath testing and things of those like.

16 Those devices which force people to remain sober or
17 relatively sober for a period of time address the root cause of
18 the problem. That's why we know DUI Courts and programs like it
19 are so darn effective, because they're not just preventing
20 somebody from drinking and driving, they're actually addressing
21 the problem drinking. The problem isn't the alcohol, the problem
22 is the human being who's consuming it. That's the reality.

23 MR. BLACKISTONE: Thank you. And, finally, Ms. McMahon.
24 Then I'm going to turn the questions over to Ms. Davis.

25 MS. McMAHON: I wanted just to say like on behalf of my

1 situation a simple answer to that question is fear. Going through
2 everything I went through and having complied with everything and
3 giving letters of compliance to the Court, had another traffic
4 violation happened to me within -- even now as I stand now because
5 it's only been 4 years, I would be in jail, so unless you do, like
6 we have spoken about, have a really intense problem with alcohol,
7 but in terms of someone who has made a bad decision like myself I
8 know if that were to happen now that my life would be over, so
9 that's really my answer in terms of, you know, going forth.

10 MR. BLACKISTONE: Thank you very much. I appreciate
11 that. Ms. Davis, your question?

12 MS. DAVIS: Ms. Hackett, could you describe for us
13 briefly the use of synthetic drugs with street names like spice,
14 bath salts and K-2, and can you describe how these drugs are made
15 and how common they are in drug driving?

16 MS. HACKETT: I don't have that information with me
17 today, but I can refer one of our experts on synthetics from the
18 office to provide materials for the record. A lot of the data
19 that we've seen on drug driving does not necessarily specify what
20 drug the driver was using. We can look at some of the information
21 that came from NHTSA, including the National Roadside Survey which
22 broke down illegals and medications.

23 And one of the issues with synthetics is that the drug
24 is always changing. The analog is changing and that's how a lot
25 of times people are able to get around legislation that's been put

1 in place to restrict the sale of synthetic drugs, but I'm happy to
2 provide more information for the record on that.

3 MR. BLACKISTONE: Thank you, and we appreciate you
4 submitting something. Ms. Roeber.

5 MS. ROEBER: I'd like to make the final question for
6 Dr. Voas, and in some respects it's really more of an opportunity
7 for a statement because I feel that at the end of the 2-day forum
8 getting the wisdom of somebody that's been doing this for 40+
9 years -- so, you know, what would you say the Board really --

10 CHAIRMAN HERSMAN: I'm sure it hasn't been that long,
11 Danielle.

12 MS. ROEBER: I'm only using his slide that said he
13 started at NHTSA in '68. But I'd like to know, you know, with
14 your wisdom and knowledge on this subject what is -- what do you
15 want us to know walking out of this room?

16 DR. VOAS: Well, I think that we have a number of
17 opportunities from these technological solutions. One point I'd
18 like to make on this matter of learning under the interlock, my
19 colleague, Marcus, has just shown that people on interlocks not
20 only learn to reduce the number of lockouts, that is they change
21 their driving behavior, but they preserve their drinking behavior.
22 That is they consume as much at the -- during the project as they
23 did before. So the interlock is achieving all of these conflicts.
24 It is producing zero for people who both driver and who drink.

25 Now, it's doing that in part because we're keeping them

1 in a straightjacket if you like, and that does, to me, really
2 focus on this issue of having done this to people who are a risk
3 on the highway and that's why they got arrested. And now we put
4 them through a process where they're able to control their
5 drinking as well as their driving which is, I think, a very
6 important thing because early on when we first started talking
7 about drunk driving the concept of someone who was dependent on
8 alcohol is that once they started drinking they couldn't stop and
9 that you could not control their behavior short of essentially
10 hospitalizing them and cure.

11 What's happening with programs like 24/7 is we're seeing
12 that individuals who -- they clinically appear out of control on
13 their drinking are at least for this period of being under control
14 able both to maintain some drinking with the interlock, a good
15 deal, perhaps with 24/7 less, and also change their driving. In
16 other words, they're able to adapt to what we need to have
17 American be which is to separate drinking from driving, and we've
18 shown we can do it with the most difficult cases.

19 Now we're doing it in a way that probably would not be
20 acceptable for 100 percent of American drivers, but I think we
21 ought to look at it as a really good sign to show that it is
22 possible to take even people who are -- have built alcohol into
23 their lives to the point that it's controlling them, we can take
24 these people and if we put them under proper controls they can
25 both keep drinking, but also not do impaired driving. They can

1 separate the two. And we need to try and take advantage of this,
2 not just for that group because we know that's a small group.

3 We know that the majority of the drinking drivers are
4 not arrested, but we can ask the question if we can achieve it for
5 this worst group, how about seeing what are the factors in that
6 and what are the principles in that that we might be able to
7 transfer to all of those people we don't arrest and as a result
8 they kill themselves and others. I think that's a really
9 interesting challenge that faces us.

10 MR. BLACKISTONE: Thank you. Madam Chairman, that
11 concludes our questions.

12 CHAIRMAN HERSMAN: Thank you very much. Thank you so
13 much for this panel. I don't think we've ever had a panel this
14 large and I think, as Ms. Pauls was talking about, it was really
15 great to get everyone on the same panel together, so we really
16 appreciate you all being here with us and sharing your insight.
17 Ms. McMahon, thank you for showing us that there is opportunity
18 that comes from adversity, so thank you for being here.

19 All of you, thank you for your comments, for being
20 straightforward and honest. Everybody doesn't want to hear the
21 same message and so we appreciate you sharing your perspectives
22 with us. It is important to get all of them on the table as we're
23 beginning to take that information back and as Member Rosekind and
24 the advocacy team are going to be evaluating everything that we've
25 heard. We have some products that are in the works, the Wrong Way

1 Drive Study that's going to be coming out in December that our
2 highway team has been working on and some other activities and we
3 will be sure to keep all of you informed as we move ahead.

4 All of our panelists were fantastic. We very much
5 appreciate our exhibitors who were here as well in the outer
6 rooms, but also during lunch, and our presentations and the films
7 that were shared with us.

8 Thank you to all the advocates who have really hung with
9 us and stayed in the audience for these two long days. We
10 appreciate your presence. It's very important to us. And thank
11 you very much to the NTSB team. We are excited about the
12 opportunities go ahead and I think we've all learned a lot in the
13 last 2 days.

14 I think, Dr. Voas, it was great to hear you close out
15 things. You have certainly been a leader in this field and it was
16 Dr. Watson from Australia who actually said you had signed off on
17 his Ph.D., and so you have created not just the work that you've
18 done yourself, but a legacy that lives on in people who are
19 inspired by the work you do, so thank you for being with us.

20 This issue has been on our Most Wanted List for many
21 years. It's an issue that we care deeply about here at the NTSB,
22 but as I mentioned to the advocates that I met with in the
23 beginning of the event, this is an opportunity for us at the NTSB
24 to hold up a mirror and look at what we are doing and ask
25 ourselves is it enough, are we doing the right things? And, yes,

1 the answer should really be as simple as don't drive after you've
2 drank -- after you've been drinking or doing drugs. But, as we've
3 discussed today, when someone makes a bad decision the complexity
4 of the interventions really depend on the offender.

5 And this is more than just addiction. There's been so
6 much focus over the years on the hardcore drinkers, but they are
7 not the only ones who are driving impaired. Many people, too many
8 people, are making bad choices, choices to drive impaired, to ride
9 with those who are impaired, to allow people who are impaired to
10 get behind the wheel. We are all responsible for the society that
11 we live in through the words that we use, through the decisions
12 that we make and the actions that we take.

13 One thing is clear from our discussion over the last 2
14 days, getting to zero is going to be a tremendous challenge. As
15 many panelists observed, impaired driving is complex. If the
16 solution to the problem was easy we wouldn't be here this week.

17 We've heard from many people working very hard every day
18 in their fields to address impaired driving. However, everyone
19 also acknowledged that even though a lot has been accomplished
20 that we continue to see too many needless tragedies, more than
21 300,000 lives lost since we investigated that crash in Carrollton,
22 Kentucky, each life precious, each crash preventable.

23 We must continue to question if what we are all doing
24 collectively works, if the consequences of impaired driving are
25 certain, swift and severe. Some interventions have demonstrated

1 success. We need to support and expand those efforts.

2 In other areas we need better data or new efforts such
3 as what we've heard about drug driving, but there is also great
4 promise in technology that can offer universal solutions to
5 separate the impaired from their vehicles -- Dr. Voas, I wrote
6 this before you said it -- to prevent the alcohol impaired driver
7 from endangering others.

8 In my opening statement yesterday morning I talked about
9 the lives lost in the Carrollton crash, about Mary Katherine,
10 Anthony and Shannon. For Mary Katherine, Anthony and Shannon and
11 for all those hundreds of thousands of others who lost their lives
12 tragically and senselessly and for the millions that are injured
13 we must come together and say enough is enough. We stand
14 adjourned.

15 (Whereupon, at 4:50 p.m., the hearing was adjourned.)

16

17

18

19

20

21

22

CERTIFICATE

This is to certify that the attached proceeding before the

NATIONAL TRANSPORTATION SAFETY BOARD

IN THE MATTER OF: REACHING ZERO: ACTIONS TO ELIMINATE
SUBSTANCE-IMPAIRED DRIVING

PLACE: Washington, D.C.

DATE: May 16, 2012

was held according to the record, and that this is the original,
complete, true and accurate transcript which has been compared to
the recording accomplished at the hearing.

Cathy Belka
Official Reporter